

UNITED STATES DISTRICT COURT
EASTERN DISTRICT OF CALIFORNIA
HON. OLIVER W. WANGER, JUDGE

THE DELTA SMELT CASES,)
)
SAN LUIS & DELTA-MENDOTA)
WATER AUTHORITY, et al.,)
)
Plaintiff,)
)
vs.)
)
KENNETH LEE SALAZAR, et al.,)
)
Defendant.)
)
_____)
AND CONSOLIDATED CASES.)
_____)

No. 09-CV-407-OWW

PRELIMINARY INJUNCTION HEARING
DAY 1

Fresno, California

Tuesday, July 26, 2011

REPORTER'S TRANSCRIPT OF PROCEEDINGS

Volume 1, Pages 1 through 244, inclusive

Reported by Karen Hooven, RMR-CRR
Official Court Reporter

APPEARANCES OF COUNSEL:

For San Luis & Delta
Mendota Water-
Authority & Westlands
Water District:

Kronick, Moskovitz,
Tiedemann & Girard
BY: **DANIEL J. O'HANLON**
and **HANSPETER WALTER**
400 Capitol Mall
27th Floor
Sacramento, CA 95814-4416

Diepenbrock Harrison
BY: **EILEEN M. DIEPENBROCK**
400 Capitol Mall
Suite 1800
Sacramento, CA 95814

Brownstein Hyatt Farber Shreck
BY: **STEVEN O. SIMS**
410 Seventeenth Street
Suite 2200
Denver, CO 80202

For State Water
Contractors:

Best, Best & Krieger
BY: **GREGORY K. WILKINSON**
and **STEVEN M. ANDERSON**
and **STEVEN MARTIN**
3750 University Avenue
Suite 400
Riverside, CA 92501

For Coalition for A
Sustainable Delta:

Nossaman LLP
BY: **PAUL S. WEILAND**
and **ASHLEY REMILLARD**
Von Karman Avenue
Suite 1800
Irvine, CA 92612

For Metropolitan
Water District of
Southern California:

Morrison & Foerster LLP
BY: **CHRISTOPHER J. CARR**
and **WILLIAM M. SLOAN**
and **ARTURO GONZALEZ**
425 Market Street
32nd Floor
San Francisco, CA 94105

For Stewart &
Jasper Orchards:

Pacific Legal Foundation
BY: **BRANDON MIDDLETON**
3900 Lennane Drive
Suite 200
Sacramento, CA 95834

APPEARANCES OF COUNSEL: (Cont'd)

For the Federal
Defendants:

U.S. Department of Justice
Wildlife & Marine Resources Section
BY: **ETHAN EDDY**
and **ROBERT WILLIAMS**
and **ANNA K. STIMMEL**
601 D Street, N.W., Third Floor
Washington, DC 20004

For the California
Department of Water
Resources:

State of California
Attorney General's Office
BY: **CLIFFORD LEE**
455 Golden Gate Avenue
Suite 11000
San Francisco, CA 94102

For the Defendant
Intervenors:

Earthjustice Legal Defense Fund
BY: **TRENT ORR**
and **GEORGE TORGUN**
426 17th Street
Fifth Floor
Oakland, CA 94612

Natural Resources Defense Council
BY: **KATHERINE POOLE**
and **DOUG OBEGI**
111 Sutter Street
20th Floor
San Francisco, CA 94104

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX

METROPOLITAN PLAINTIFFS' WITNESSES:

RICHARD BRUCE DERISO	48
DIRECT EXAMINATION BY MR. GONZALEZ	48
CROSS-EXAMINATION BY MR. EDDY	72
CROSS-EXAMINATION BY MS. POOLE	91
REDIRECT EXAMINATION BY MR. GONZALEZ	138
KENNETH PAUL BURNHAM	140
DIRECT EXAMINATION BY MR. GONZALEZ:	141
CROSS-EXAMINATION BY MR. EDDY	180
CROSS-EXAMINATION BY MR. TORGUN	222

SWC PLAINTIFFS' WITNESSES:

CHARLES HOWARD HANSON	237
DIRECT EXAMINATION BY MR. WILKINSON	237

EXHIBITS

FEDERAL DEFENDANTS' :

586	Marked 88
-----	--------------

METROPOLITAN PLAINTIFFS' :

3 and 5	Received 49
1	58
6 and 6A	61
7	62
9 and 10	66
2 and 4	141
11	167

SWC PLAINTIFFS' :

100	244
-----	-----

1 Tuesday, July 26, 2011

Fresno, California

2 8:35 a.m.

3 THE CLERK: Court calls item number 2. 09-CV-407.
4 The delta smelt consolidated cases. Evidentiary hearing.

5 THE COURT: Will the parties please enter their
6 appearances.

7 MR. GONZALEZ: Good morning, Your Honor, Arturo
8 Gonzalez, Chris Carr, William Sloan for Morrison & Foerster on
9 behalf of the Metropolitan Water District of Southern
10 California.

11 MR. SIMS: Your Honor, Steve Sims with Westlands
12 Water District. I apologize for appearing without a jacket in
13 your courtroom. I left it at the airport.

14 MR. WILKINSON: Good morning, Your Honor, fortunately
15 I did not have a wardrobe malfunction this morning. Greg
16 Wilkinson for the State Water Contractors.

17 MR. LEE: Good morning, Your Honor, Clifford Lee with
18 the California Attorney General's Office here on behalf of
19 plaintiff intervenor California Department of Water Resources.

20 MR. WEILAND: Good morning, Your Honor, Paul Weiland
21 and with me is Ashley Remillard on behalf of the plaintiffs
22 Coalition for a Sustainable Delta and Kern County Water
23 District.

24 MR. ANDERSON: Good morning, Your Honor, Steven
25 Anderson also on behalf of the State Water Contractors.

1 MR. O'HANLON: Good morning, Your Honor, Daniel
2 O'Hanlon appearing on behalf of the San Luis and Delta-Mendota
3 Water Authority and the Westlands Water District. With me
4 this morning is my associate Hanspeter Walter.

5 MR. MIDDLETON: Good morning, Your Honor, Brandon
6 Middleton for Stuart Jasper Orchards, Arroyo Farms and King
7 Pistachio Grove.

8 MS. DIEPENBROCK: Good morning, Your Honor, Eileen
9 Diepenbrock for Westlands Water District and the San Luis and
10 Delta-Mendota Water Authority.

11 MR. MARTIN: Good morning, Your Honor, Steve Martin
12 for State Water Contractors.

13 MR. EDDY: Good morning, Your Honor, Ethan Eddy for
14 the federal defendants with here today with Jim Monroe and
15 Kaylee Allen for the Department of Interior, Office of the
16 Solicitor.

17 MR. WILLIAMS: Good morning, Your Honor, Robert
18 Williams on behalf of the federal defendants.

19 MS. STIMMEL: Good morning, Your Honor, Anna Stimme1
20 on behalf of the federal defendants.

21 MR. TORGUN: Good morning, Your Honor, George Torgun
22 on behalf of the defendant intervenors Natural Resources
23 Defense Council and the Bay Institute.

24 MR. ORR: Good morning, Your Honor, Trent Orr also on
25 behalf of defendant intervenors.

1 MS. POOLE: Good morning, Your Honor, Kate Poole on
2 behalf of defendant intervenor Natural Resources Defense
3 Council.

4 MR. OBEGI: Good morning, Your Honor, Doug Obegi on
5 behalf of defendant intervenor Natural Resources Defense
6 Council.

7 THE COURT: All right. This is the time we have set
8 for hearing the plaintiffs' motions for injunctive relief
9 respecting one of the reasonable and prudent alternatives,
10 which is number three.

11 And the starting point for the hearing, as I
12 understand it, was the parties' request that the Court rule on
13 objections that have been made under substantive law and the
14 Federal Rules of Evidence to proffered proposed evidence that
15 the parties who are plaintiffs are seeking to submit.

16 And so we will start with that, unless somebody has
17 something that they wish to present preliminary to that. So
18 if any party has anything that they wish to present, you may
19 do that now before we start with the rulings on the
20 evidentiary objections.

21 Nobody indicates that they have anything they wish to
22 present. And so let us turn to the issues that have been
23 raised by the defendants. And for the purposes of this
24 ruling, I'm referring to the federal defendants and I'm
25 referring to the defendant intervenors.

1 The objections that have been raised include that in
2 the context of the procedural posture of the case, that a
3 judgment has been entered, both on the NEPA claims and on the
4 ESA claims and that, in a post-judgment status, the defendants
5 assert that the Court is limited to first the doctrine of law
6 of the case which would preclude re-litigating substantive
7 issues that have been decided by the Court.

8 That to the extent that evidence would bear on an
9 issue decided or if the evidence bears on the subject matter
10 of issues that have been decided, that the plaintiffs were
11 required to present any evidence applicable at the time the
12 cross motions for summary judgment was heard preliminary to
13 the entry of decisions and judgment in the case. And that, in
14 effect, there is an implied waiver that would prevent the
15 introduction of such evidence in this proceeding.

16 The objection is raised that insofar as the
17 plaintiffs offer evidence of irreparable harm that is economic
18 in nature, that *TVA versus Hill* and the jurisprudence that
19 underlies the ESA prevents consideration of such evidence.

20 And specifically Mr. Erlewine's declaration is
21 objected to as including speculative opinions, opinions for
22 which he is not qualified to express and on subjects that are
23 beyond his personal knowledge.

24 There is also an objection to Dr. Sunding's
25 declaration on the basis that it is responsive to a

1 declaration in another case and that it isn't material and
2 relevant to the issues that are raised.

3 Mr. Mettler and Mr. Stiefvater, S-T-I-E-F-V-A-T-E-R,
4 declarations are purely economic.

5 The Court, in addressing these objections, will start
6 with what is believed to be the applicable decisional standard
7 that applies in the context of where the lawsuit is, not only
8 from a procedural standpoint, but from the standpoint of the
9 relief that is being sought.

10 The Court has read and refers first to the context in
11 which the objections are raised. This is a post judgment
12 injunctive proceeding. It is not a proceeding that reviews
13 administrative agency action that is before the Court for
14 analysis or decision.

15 This subject is most recently addressed in *Sierra*
16 *Forest Legacy versus Sherman*, 2011 Westlaw 2041149. It is a
17 2011 decision of the Court of Appeals for this judicial
18 circuit, which is the Ninth. And it was filed May the 26th of
19 2011.

20 In that case, a NEPA violation that concerned the
21 Forest Plan was at issue. And it is almost all on fours
22 procedurally in context with this case. The district judge
23 there, happens to be Judge England in Sacramento, was faced
24 with the question of whether or not injunctive relief pending
25 the remand for the preparation of an EIS by the Forest Service

1 could be entered. And he used the APA deferential standard to
2 administrative agency analysis and application.

3 The Ninth Circuit said that was wrong. That in the
4 context of injunctive proceedings that are post judgment,
5 there had been two findings. In some respects the Forest Plan
6 had not violated NEPA, in other respects it had.

7 And in that case, drawing from *Winter versus Natural*
8 *Resources Defense Council*, where, as you all know, the Navy
9 was engaged in sonar testing procedures off the Pacific Coast.
10 And those procedures were allegedly interdicting and upsetting
11 whales. And that was viewed in terms of the agency's
12 competence and expertise.

13 And the way the Court analyzed it, there were two
14 fields of expertise. One, the Ninth Circuit found to be
15 unique and inherent, integral to the agency's operation. And
16 that was national security, national defense, functioning of
17 the military. And the other was ecological, and that was
18 what's the effect of sonar on the whales.

19 The Court said on the latter that there was no
20 deference to be given because that was not the agency's
21 inherent and unique and special expertise for the purposes of
22 the post-judgment injunction proceeding.

23 And the Court further said that, in essence, if as
24 here, the defendants argue that first if you have an ESA case,
25 in effect, there's never a case in which an injunction could

1 be issued because you've always got to defer to the agency and
2 as long as the agency says this is right, that's the end of
3 the inquiry. Can that be the law?

4 Second, as in that case, the Ninth Circuit
5 essentially said that the context in which -- and I will now
6 compare it to the context of this case. In this case, the
7 Court has found NEPA was violated. And that the agency has to
8 prepare the appropriate environmental assessment. And the
9 Court believes the environmental impact statement will be
10 required considering all the aspects that that law requires to
11 be vetted, analyzed and considered, including, in that case,
12 the economic impacts.

13 And in this case, the ESA has also been found to been
14 violated, and something that, for some reason the defendants
15 do not mention in their papers, the BiOp has been found to be
16 unlawful and RPA 3 has been found to be unlawful.

17 And so the starting point is, the Court believes, we
18 have unlawful agency action. We have an unlawful BiOp. We
19 have illegal -- an illegal RPA. And so, in terms of -- and an
20 RPA that appears to be the subject of increasing controversy
21 and increasing challenge and increasing doubt about its
22 efficacy.

23 And what we are approaching is the question of
24 whether the measure should be implemented. There's no
25 question it's unprecedented. There's no question that this

1 kind of a commitment of CVP water has never been appropriated
2 for such a use in the history of the operations of the
3 project.

4 And so this proceeding is to determine whether or not
5 and to what extent the application of RPA 3 in this water
6 year, starting in approximately September and running into and
7 through the fall months to the early winter, should be
8 implemented by the combination of the NMFS and the Bureau of
9 Reclamation, who's the action agency that operates the
10 projects.

11 And the Court believes that information that is very
12 necessary in addressing the injunction standards -- and I
13 don't want to get ahead of myself here. But the traditional
14 standard applies. All four factors of the injunction test
15 that are explicated by *Winter* and the Ninth Circuit cited
16 specifically to an additional injunctive relief case that the
17 Supreme Court, within the last four years, has examined.

18 The question remains that in the application of these
19 evidentiary standards, what is the Court to consider?

20 And the Court considers, first, the nature of the
21 extent of the harm in terms of irreparability that may be
22 visited by the action that is proposed to be taken.

23 The Court examines the legal justification in terms
24 of the likely success on the merits. The Court balances the
25 hardships.

1 And finally, the Court is bound to consider the
2 public interest in determining what effect the proposed
3 action -- and here, so that there is no ambiguity, the
4 plaintiffs seek to enjoin the application and the
5 implementation of RPA 3 in -- it's actually a combined water
6 year, it is the 2010/2011 water year and it also will
7 implicate the 2011/2012 water year because the water year ends
8 September the 30th. And for this year, that will be in 2011.

9 And so, recognizing that this is perhaps not entirely
10 new law, but it certainly is elucidating law that makes very
11 clear where we are in this proceeding, the Court is of the
12 belief that the scientific evidence must be heard.

13 That isn't going to revisit, that isn't going to
14 re-decide. The RPA is unlawful. The BiOp is unlawful. That
15 has been decided. That's on appeal. But there is nothing
16 about determining whether the RPA, which has never been
17 implemented, should or should not be in place and permitted to
18 operate.

19 And so this hearing is going to examine what are the
20 current conditions and -- as the Court in its jurisdictional
21 ruling has indicated, and if there were any question about it,
22 certainly *Sierra Forest Legacy* should put that to rest.

23 But after the Court has entered a judgment in aid of
24 its jurisdiction, even if the jurisdiction has been, in
25 effect, transferred to the Court of Appeal, the ongoing, on

1 the ground operations of the projects are not immunized, they
2 are not removed from judicial scrutiny.

3 And as was the case in here, the Ninth Circuit didn't
4 decide what the terms and conditions of the injunction in
5 *Sierra Forest Legacy* was or should be in the context of a
6 post-judgment injunction. It sent it back to the trial court
7 for that determination.

8 The Court believes that the declarations that are
9 submitted by Mr. Erlewine and the farmers do raise issues. It
10 is preferred that if there's a lack of foundation, if there's
11 a lack of qualification or if there is speculative opinion,
12 we'll take that on a question by question basis. The
13 objection can be raised, I'll rule on the objection as it is
14 made.

15 The Court does believe that the issue of economic
16 harm and the consequences of the relief -- we have both a NEPA
17 ruling and we have an ESA ruling. And to the extent that the
18 defendant's interpretation of the ESA that economic evidence
19 is never admissible and never relevant in the context of an
20 ESA case.

21 The Court believes that -- under the NEPA ruling,
22 that such evidence does have relevance and the Court will, for
23 the purposes of the record, receive that evidence if there's
24 time.

25 And the Court will prefer that -- I recognize that

1 you have your witnesses scheduled in the order you want them.
2 But I want to hear water supply, operational projections, I
3 want to know what you actually believe is going to be
4 required, recognizing that we have day-to-day changes in
5 hydrology and climatic conditions and in operational
6 constraints under the Coordinated Operating Agreement that the
7 Bureau and the Department of Water Resources operate under.

8 But we have estimates that go all the way in the
9 400,000 acre feet up to a million acre feet. I want to have
10 some better idea of what is really involved beyond that.

11 And so in terms of the exclusionary objections that
12 are being made, for all the reasons I've stated, I'm
13 overruling those objections. But I am still going to hear --
14 and I expect the defendant intervenors and the federal
15 defendants to make their objections during the proceeding and
16 I will rule on those objections as they are made if there are
17 specific questions that either are improper under the rules of
18 evidence or if there's a lack of foundation or basis for an
19 answer premised on the subject matter.

20 I could say a lot more. It's now nine o'clock. I
21 don't know how much time was allocated for this. But my sense
22 is it's better that we get into the hearing rather than my
23 talking anymore, unless any of the parties want to either say
24 anything or unless you want to raise any questions at this
25 time about where we're headed.

1 MR. EDDY: Your Honor, I do have a minor point,
2 which is that you indicated that you would take objections to
3 testimony given by Mr. Erlewine and the two farmer gentlemen.

4 THE COURT: That's not all. I've said I'll take
5 evidentiary objections throughout the hearing. In other
6 words, the rules of evidence are not being abated.

7 MR. EDDY: And specifically --

8 THE COURT: The federal rules.

9 MR. EDDY: I'm sorry, Your Honor. Specifically with
10 respect to the two farmer witnesses.

11 THE COURT: Yes.

12 MR. EDDY: The parties have stipulated that those
13 witnesses would testify on the papers. So --

14 THE COURT: By declaration, I think that's wise.

15 MR. EDDY: So we won't have an opportunity to raise
16 that sort of question-by-question objection here. But we'll
17 just note a standing objection to that as Your Honor considers
18 those materials when the matter is under submission.

19 THE COURT: Thank you. And if there is something
20 that is particularly -- in your judgment, or in the defendant
21 intervenors' judgment, particularly inadmissible besides the
22 objections that I've already ruled on, the substantive law
23 objections, then you can raise those before the hearing is
24 over.

25 MR. EDDY: Thank you, Your Honor.

1 THE COURT: All right. Anything further before we
2 get started?

3 All right. Then let us proceed.

4 MR. GONZALEZ: Good morning, Your Honor, Arturo
5 Gonzalez here to deliver a relatively brief opening statement.
6 And we have just a few PowerPoint slides that hopefully are on
7 Your Honor's screen if this is working properly.

8 THE COURT: They are.

9 MR. GONZALEZ: So, Your Honor, there are two issues
10 that we're going to address through our witnesses. And the
11 two issues are if we, for the first time, divert hundreds of
12 thousands -- and I don't think we need to get into a debate at
13 this point as to whether it's 600 or 900. We know it's
14 hundreds of thousands of acre feet of water.

15 If we are to lose that water, what will the harm be?
16 And why are we doing it?

17 The government has argued that it is something that
18 is necessary to do in order to protect the delta smelt. I
19 think that's the key issue here. If we, the plaintiffs, can
20 demonstrate that there's no factual evidence, that there's no
21 reliable scientific evidence that you even need to do this, or
22 that diverting all of this water which you're going to lose
23 forever is going to help the delta smelt at all, then why are
24 we doing it?

25 We're going to start there with our witnesses, Your

1 Honor. We're going to bring witnesses that are going to
2 explain to the Court that there is no reliable scientific
3 evidence at all that an injunction will harm the delta smelt.
4 Again, we think that's the key.

5 Then we're going to bring witnesses to talk about
6 what will the harm be to the people if you divert that kind of
7 water.

8 And I want to start, Your Honor, with what the Court
9 said in its ruling on December 14th, 2010. The Court noted
10 that it was undisputed that the application of a quantitative
11 life cycle model is the preferred scientific methodology. And
12 that the failure to prepare one was inexplicable.

13 One would expect, after that ruling came down some
14 seven months ago, that the government would have prepared one.
15 But the Court said that the government had the time and
16 ability to prepare one and that they would come here because
17 they knew this was going to occur, they knew that at some
18 point we were going to have this hearing if there was going to
19 be a wet year. And they'd put someone in the chair. And that
20 person would explain to the Court what they've done and would
21 explain to the Court that we need this X2 business because
22 otherwise it's going to harm the fish and here's our evidence
23 of that.

24 You won't hear that. You will not hear that from any
25 government witness because they still haven't done what you

1 said many months ago was inexplicable for them not to have
2 done. They still haven't done it.

3 So not only do you not have any additional reliable
4 scientific evidence to address the issues that Your Honor
5 noted in the order were of concern. For example, Your Honor
6 said, "Well, even if I accept the notion that X2 is a relevant
7 criteria, where are you drawing the line? Where do you get
8 this number 72 kilometers? Looks like someone just pulled
9 that out of a hat. Explain that one to me."

10 They don't have anyone to explain that one either.
11 They don't have a witness who's going to come in and say,
12 "Well, Your Honor, you asked a pretty good question, how did
13 we pick this number. We actually have a new number now and
14 here's the new number. And let us explain to you how we got
15 there." Nobody's going to come in here and say that.

16 So the deficiencies that Your Honor noted many months
17 ago are still there. But it actually, Your Honor, is even
18 worse. And here's why.

19 What did the BiOp rely on in the first place? In
20 order to find that we need this X2 and we need this 72
21 kilometer line to be drawn, we've got to have this X2 business
22 in the fall or we're going to hurt the fish. What did they
23 rely on for that? I think it's very important to take a step
24 back just briefly. And what the BiOp says -- and I have it on
25 the screen, Your Honor, at page 236 -- the BiOp by the way is

1 Exhibit 1 to this proceeding.

2 It talked about an article that had been drafted by
3 Mr. Feyrer in 2008. It was a draft article. And there's
4 nothing wrong with relying on a draft article that's awaiting
5 publication.

6 That's not our point. Our point is that the Feyrer
7 draft article, the language that the government relied upon in
8 the BiOp and the language that presumably Your Honor relied
9 upon in ruling in the government's favor on a couple of points
10 on X2, I'm going to demonstrate to Your Honor that that
11 language is now gone. Mr. Feyrer has published that 2008
12 article. And the words in that article that the government
13 relied upon for this X2 business that got us here in the first
14 place are gone.

15 The next slide, Your Honor, cites to two parts of Mr.
16 Feyrer's 2008 article. This is Exhibit 7 to this proceeding.
17 And I want to flag just a couple of parts of it in the
18 interest of time.

19 First, Mr. Feyrer's 2008 draft article had said that
20 the amount of habitat has affected delta smelt abundance. And
21 he had a table, Table 1, that was the source of that
22 statement. Then he said, "Manipulations of autumn X2 could
23 result in substantially different population levels." "Could
24 result." He didn't say it would. He said it could.

25 Here's the key. Both of those statements. This is

1 the heart of where the government got its information in the
2 BiOp for the X2 business at all. Both of those assertions
3 that I've got on the screen are gone. They are not included
4 in the final published article.

5 And I'm going to show you, Your Honor, just briefly,
6 Table 1 from the draft 2008 article. You see right in the
7 middle there it's got X2. And this is the table on which Mr.
8 Feyrer relied for the statement I previously showed and the
9 government relied on in the BiOp. This table is gone. It is
10 not in the final publication.

11 The next page is Figure 3. Figure 3, Your Honor, is
12 what Mr. Feyrer relied upon for the second statement that I
13 displayed moments earlier, where he said that autumn X2 could
14 result in substantially different population levels. I
15 emphasize first that he didn't say it would, he just said it
16 could.

17 The key is that this figure that you're looking at,
18 Figure 3, which is the basis for that statement. For the
19 record, he says on the bottom, "X2 management scenarios." And
20 he looked at different scenarios on the X2. And based on what
21 he looked at, he said, yeah, it could have an impact.

22 This Figure 3 is gone. It is not in the final
23 article that was published just this year by Mr. Feyrer.

24 THE COURT: And what has it been replaced by?

25 MR. GONZALEZ: Your Honor, it's the same article

1 without all of this information. It has even fewer teeth than
2 it had in the first place. We came here before --

3 THE COURT: The answer to my question is nothing?

4 MR. GONZALEZ: Correct, Your Honor. They're just
5 gone. There is no explanation as to why they're gone.

6 They're just gone. And in his declaration what he says is,
7 well, that's true, they're gone. But that's because I'm
8 working on yet another article and I plan to include that in
9 this other article that I will publish someday.

10 So first of all, Your Honor, we have a BiOp that the
11 Court has already found to be invalid. We have the BiOp
12 relying on an article that we argued before was very weak in
13 its foundation. And now that foundation has just crumbled.

14 So our second point. Even if one were to assume that
15 X2 was a valid criteria to consider, where are you going to
16 draw the line? Well, this Court already said in its order
17 December 14, 2010, that the BiOp fails to explain why
18 maintaining X2 at 74 is essential to avoid jeopardy. That
19 language, Your Honor, is on the monitor to refresh the Court's
20 recollection.

21 There still is no explanation. Many months later we
22 still have no explanation of why the line should be drawn
23 there. If you assume X2 is relevant to this issue at all.
24 Our experts will testify, Your Honor, that they have done more
25 work since they were last here as to what is it that harms the

1 delta smelt, what is it that matters. And does this X2
2 business matter?

3 And they look at it for this purpose only. They look
4 at it to answer the Court's question, if I issue an
5 injunction, is it going to harm this species? And the answer
6 is no. We've done a lot of work, we've looked at a lot of
7 things and there are other things that matter to the survival
8 of the species, including food, predators, water temperature.
9 But X2 is not one of them.

10 THE COURT: And why is that? We know that salinity
11 has adverse effects and that the purpose of trying to reduce
12 or control salinity, in terms of its adverse effect on the
13 species, is not argued among scientists.

14 MR. GONZALEZ: Your Honor, I'll put that question to
15 the experts and have them explain it. What I can tell you is
16 this: The experts, the way they do their work, if they do it
17 properly, they look at the data that is available. And
18 looking at the data that is available, no scientist has found
19 in any reasonable publication that X2 is a factor in
20 what -- that affects the species.

21 And there are a number of reports, I'm going to cover
22 some of them just briefly. So it's not just our experts, it's
23 other experts in this area, in this field who have published
24 articles recently that examine the precise issue that this
25 Court is being asked. What is it that causes the species to

1 suffer? And it's not X2.

2 Your Honor, we're going to bring two experts -- as
3 soon as I sit down, the government can say a few words if they
4 like, and then we're going to put Dr. Deriso back on the
5 stand. And he's going to testify, along with Dr. Maunder,
6 that they found no statistically significant effect of X2 on
7 smelt survival. That what they found, looking at all of the
8 available data, which is what they focus on, not politics, not
9 love of animals, they look at the data. And the data points
10 to food supply, predation, temperature and density dependence.
11 That is what the data points to. And it's not just them, Your
12 Honor. Just very briefly, I have just a few more slides.

13 Dr. Kimmerer. This I believe is Exhibit 11,
14 Plaintiffs' Exhibit 11 to this proceeding. Dr. Kimmerer
15 reached the same conclusion. He also looked at the issue of
16 the delta smelt and what is it that appears to help or harm
17 the species. And he said that the abundance of delta smelt
18 cannot vary with X2. And it wasn't just him.

19 Then we have Thomson and MacNally, two separate
20 publications, Your Honor. Very interesting articles. They
21 looked, Your Honor, at four different species. And they were
22 examining what may have caused the decline of those four
23 species, one of which was the delta smelt. And they have a
24 good explanation and discussion of what factors they believe,
25 based on the data, contribute to the decline.

1 What's interesting is what is not there. Neither one
2 of them point to X2 as being a factor. An important footnote,
3 Mr. Feyrer, which the government relies upon in the BiOp, is
4 the co-author of both of those publications, as is Mr. Newman,
5 who has also testified for the government, as the Court knows,
6 in these proceedings.

7 So we have Dr. Deriso, who has done additional work
8 since he was last in this courtroom testifying. And he will
9 tell you that he didn't find any connection with X2. You have
10 both Mr. Thomson and Mr. MacNally publishing articles that are
11 consistent with that. And you have Dr. Kimmerer.

12 Now, briefly, Your Honor, I want to introduce the
13 Court just briefly to Dr. Burnham. Dr. Burnham has not
14 testified yet in this courtroom. He has 40 years of
15 experience with government wildlife agencies. I think it will
16 be undisputed that he's one of the world's foremost experts in
17 wildlife population sampling and model selection. Just
18 earlier this year, he -- it was announced that he will be
19 receiving the Wildlife Society's Aldo Leopold Award for
20 distinguished service to wildlife conservation.

21 We asked Dr. Burnham a simple question. We said, "If
22 the Court enjoins this X2 business in the fall, is that going
23 to harm the delta smelt?" Look at all of these studies that
24 both sides have cited, read the declarations submitted by
25 experts on both sides and help us answer that question because

1 the Court's going to want to know and we're going to want you
2 to look at them and tell him.

3 And he did that. He reviewed all of the available
4 scientific data and he, too, independently reached the
5 conclusion that there is no scientific evidence that an
6 injunction is going to harm the delta smelt.

7 So, Your Honor, to summarize, on the issue of harm to
8 the species, there is no reliable scientific evidence that the
9 delta smelt is going to be harmed if this Court issues an
10 injunction.

11 Now, just briefly, on the people. I don't think
12 there's any dispute that hundreds of thousands of acre feet of
13 water will be lost forever if this X2 is implemented in the
14 fall. I don't think there's any dispute about that. Somebody
15 might say, well, it's not a million, it's actually 642,000
16 acre feet of water. Whatever it is, it's enough water to
17 supply millions of people for a year. And it is water that
18 will be lost forever.

19 And here I think is the only point I need to make in
20 opening statement. Anybody who lives in California or in the
21 Central Valley, or who has been here for a long time, knows
22 that, generally speaking, water is pretty important. It's a
23 very important commodity. Some people will tell you it's more
24 important than gasoline.

25 And we all know this has been a good water year. So

1 it's very easy for the government to say, "Gee, it's rained a
2 whole lot, Your Honor, what's the big deal if we send a few
3 hundred thousand feet of water away?" We've got a lot of
4 water here and there, and look over there, there's water over
5 there.

6 The key point is this: When was the last time that
7 we had a wet year here in the Central Valley? It was five
8 years ago, Your Honor, in 2006. And what happened between
9 2006 and this wet year? We all know, very little rainfall.
10 And a lot of people struggled.

11 And the only reason we were able to survive those dry
12 years is because we had a lot of water in reserve because
13 somebody, certainly wasn't me, came up with the brilliant idea
14 of saving water when it rains a lot so that you have it when
15 you need it. And boy, we sure needed it.

16 And so what you will hear, Your Honor, is that today
17 we have plenty of room to save this water. It is true we
18 don't need the water for this year. We probably don't even
19 need it for next year, but I'm not sure about that. But what
20 is equally true is that frequently we have years where we
21 don't have enough water, we've got to have it in reserve, and
22 that's why we need it and that's why we need to save it.

23 Had we, five years ago, done what the government is
24 proposing, had we, five years ago, diverted hundreds of
25 thousands of water, just sent them down to the Golden Gate, we

1 would have had far more serious problems.

2 So just like people save money, Your Honor, when you
3 have a good year financially, you got to do the same thing
4 with water. And that's what we're saying here. We've got to
5 save this water, we're going to need this water. And there is
6 no reason to throw this water away. The delta smelt will not
7 be harmed by injunction.

8 Thank you, Your Honor.

9 THE COURT: Thank you, Mr. Gonzalez.

10 Mr. Wilkinson.

11 MR. WILKINSON: Thank you, Your Honor.

12 Your Honor, in addition to the life cycle model
13 results that are going to be presented by plaintiffs, we've
14 also looked at the actual Fish & Game data that has been
15 collected through a series of surveys including fall midwater
16 trawl, 20 millimeter survey and other surveys that have been
17 collected over the years -- conducted over the years by the
18 Department of Fish & Game.

19 And the purpose of that analysis is to understand
20 whether the X2 action is, in fact, related to the factors that
21 underlie delta smelt abundance. Those factors are geographic
22 distribution, survival, reproduction and food availability.

23 Now, Dr. Hanson, who will be called as one of our
24 witnesses, did look at the fall midwater trawl data to
25 determine the effect of the movement of X2 on these factors.

1 Something that the federal defendants never did. They never
2 did that, even though the data was available to look at. But
3 they simply didn't examine it.

4 As a result of the analyses that he has conducted,
5 Dr. Hanson will explain those analyses in some detail for you.
6 He concluded that, in fact, X2 is unrelated to delta smelt
7 distribution, to its survival, to its reproductive
8 capabilities the following year and to the availability of
9 food. All of the factors that seem to be presumed by the fall
10 X2 action and that underlie delta smelt abundance.

11 Dr. Hanson is also going to testify, Your Honor,
12 about the most recent data we have concerning delta smelt
13 abundance and their location. Something that we all know that
14 the Court is very interested in. That data was issued and --
15 publicized, I should say, earlier this month. It came out on
16 July 7th of 2011. And it's in the form of the summer townet
17 survey results. These surveys are conducted every year by the
18 Department of Fish & Game. And what they know is that in
19 2011, while the smelt numbers are still low -- there's no
20 question that they are -- they have more than doubled compared
21 to the numbers that were found last year.

22 And what Dr. Hanson is going to also testify to is
23 that those numbers occurred following a fall period in 2010 in
24 which X2 was not at kilometer 74, it was not at kilometer 81,
25 it was between kilometer 83 and kilometer 84 from the Golden

1 Gate.

2 Moreover, the numbers that Dr. Hanson is going to
3 testify about, this increase in summer townet survey, he will
4 explain is an index number that is developed from the
5 collection of data from certain core sampling stations within
6 the Delta. That number does not include sampling stations
7 which are located -- and I'll point these out on the map -- in
8 the areas of Cache Slough, Liberty Island and the Sacramento
9 Deep Water Ship Channel. None of those areas are included in
10 the summer townet index number.

11 But what the Department of Fish & Game discovered
12 this year, as early as -- or as recently as early this month,
13 is that almost 60 percent of the delta smelt are located in
14 those three areas. Cache Slough, Liberty Island and the Deep
15 Water Ship Channel.

16 And what Dr. Hanson will also testify is that none of
17 those areas are affected, the salinity in those areas are
18 affected by the movement of X2. They are so far up and away
19 from the two part per thousand or any other isohaline that
20 they are fresh water areas. So the movement of X2 is not
21 going to change the salinity in those areas.

22 More importantly, perhaps for the purposes of this
23 proceeding, Dr. Hanson is also going to testify that not one
24 of those areas was included in any of the papers, the
25 publications that underlie the fall X2 action. Consistent --

1 THE COURT: What's been the historical consistency
2 with these observations? Have there been any prior studies
3 that show where, if there is any concentration, the delta
4 smelt population concentrates?

5 MR. WILKINSON: In fact, there is an article that's
6 attached as an exhibit to Mr. Feyrer's opposition declaration,
7 an article by Mr. Kimmerer. And if you read that article, you
8 will find that Mr. Kimmerer has found that there has been a
9 major shift in the delta smelt population to areas in the
10 northern Delta. These areas of Cache Slough, Liberty Island
11 and the Sacramento Deep Water Ship Channel. He identifies
12 those areas in that article.

13 And he says that he thinks that one reason for that
14 shift is that what's happened in the southern Delta is that
15 water -- as the clarity of water has become greater and the
16 smelt doesn't have the cover that it used to have, that it
17 appears that the smelt population is moving north. And he
18 says that it also appears that they are moving farther away
19 from the Delta pumps.

20 Now, it is a fact that until 2008, the fall midwater
21 trawl and the summer townet -- the fall midwater trawl in
22 particular did not sample in those areas. So we do not know
23 how far back this shift goes. What we do know is that in
24 2009, 2010, 2011, they have been sampling in those areas and
25 have been finding fish. And in this year, they're finding

1 that almost 60 percent of the delta smelt population total is
2 in these areas outside of the influence of X2.

3 Now, one of the other basic premises that seems to
4 underlie the fall X2 action is the notion -- you'll see this
5 repeated in the biological opinion any number of times -- the
6 projects have been responsible for moving X2 eastward. They
7 have shrunk the habitat area available to the smelt.

8 And, in fact, the graphs that accompany the
9 biological opinion, that are part of it, suggest the shift has
10 been about 17 kilometers to the east. And the argument goes
11 the X2 action is needed to reverse that. We need to get back
12 to where we were before the pelagic organism decline began.

13 We're going to call Dr. Paul Hutton as a witness.
14 Dr. Hutton currently works for the Metropolitan Water District
15 as an engineer and a hydrologist. And prior to his employment
16 with Metropolitan, he worked at the Department of Water
17 Resources and for several years ran the modeling section, the
18 Delta modeling section of DWR.

19 Dr. Hutton did something that none of the defendants'
20 experts have ever done. He looked at the whole Dayflow
21 record. He didn't take a piece of it. He didn't use a
22 segmented portion of it or truncated part of the record, like
23 the defendants have done. Instead, he went back to the full
24 record which dates from the 1930s.

25 As Your Honor is well aware, we've had a number of

1 dry periods, including the 1928-1934 dry cycle. And what Dr.
2 Hutton found, when he applied a well known equation called a
3 Kimmerer-Monismith equation to the monthly average Dayflow
4 data. He read that data and what he found was remarkable.

5 When you look at the full record, and not a truncated
6 portion of the record, you find not only has there been no
7 significant trend eastward over a period of 81 years, what you
8 find instead is that in the month of September, the projects
9 have actually shifted X2 westward, not eastward. It's not a
10 large shift, but it sure as heck isn't kilometers to the east
11 either.

12 In the month of October, it's been about neutral. X2
13 has moved eastward at the amazing pace of 0.02 kilometers per
14 decade. Over the course of 80 years, that's less than
15 two-tenths of a kilometer.

16 What the defendants did, in developing their fall X2
17 action, was they started looking at the Dayflow records in
18 19 -- 1987, I believe it was. 1967. And what we found is
19 that they just happen to coincide with a very wet period of
20 years. And what happened in the last 20 years is that we've
21 had a relatively dry period. We've had relatively few wet
22 years, relatively few above normal years.

23 And so what they've done to show the 17 kilometer
24 shift is they compared the location of X2 in an unusually wet
25 period to the location of X2 in an unusually dry period. Even

1 though they had a full 80 years of data and only used about
2 half of it.

3 And you get a dramatically different conclusion when
4 you look at the full scope of the data. Now, we are also
5 going to put on Mr. Erlewine, Your Honor, to talk about the
6 impacts within the State Project's service area. Mr. Erlewine
7 supports the declaration testimony of Mr. Leahigh.

8 My information is Mr. Leahigh will confirm the
9 initial numbers that were in his initial declaration which
10 suggested that there would be an export reduction this year if
11 X2 is triggered -- I'm sorry, next year it will actually take
12 place -- of about 410,000 acre feet. He will indicate that
13 the potential loss of water, in terms of storage at Oroville,
14 is another roughly 440,000 acre feet. And these are
15 probabilities.

16 I think you know that the projects operate based on
17 probabilities. We don't have a crystal ball and we can't
18 predict the weather. But those probabilities suggest that
19 it's going to be a major impact on the state project. And
20 what Mr. Erlewine will do is to describe for you how that
21 impact will ripple through the state project service area.

22 We've just come out of a major drought. And during
23 that drought, groundwater particularly was mined in order to
24 keep agricultural operations going, in order to keep people in
25 the urban areas supplied with water. We've been able to

1 refill some of that. We've been fortunate with the conditions
2 that existed over the winter of 2010 and the winter of -- the
3 early spring of 2011. We have a ways to go. Particularly
4 with regard to groundwater in the San Joaquin Valley, we have
5 a ways to go.

6 And this project that we represent, we are involved
7 with, is one that, as you know, works conjunctively. It takes
8 water when it's available and it puts it away into storage so
9 we can get through the times when it isn't there. And if we
10 aren't able, in a year like this, to make all the advantage,
11 to make all the use we can of the available water, we can't
12 operate conjunctively and we will be less prepared than we
13 need to be come the next drought, which is inevitably going to
14 happen.

15 Thank you.

16 THE COURT: Thank you, Mr. Wilkinson.

17 Mr. Sims.

18 MR. SIMS: Good morning, Your Honor. The federal
19 contractors are here to support the motion for injunction.
20 The evidence will show that enjoining fall X2 will not
21 jeopardize the species. It will not prevent their recovery
22 because maintaining fall X2 at 74 kilometers east of the
23 Golden Gate does not benefit the species.

24 You've just heard the evidence that will be put forth
25 on that issue. It will be primarily put on by the State Water

1 Contractors. But the federal contractors are also here to
2 support -- and they have a vested interest in supporting that
3 contrary to the federal defendants' assertions, the projects
4 have not caused X2 to shift to the east as Mr. Wilkinson
5 described.

6 Again, the state contractors will put on most of this
7 information. The federal contractors are not taking the lead
8 in this hearing because the State Water Projects will suffer
9 the biggest water losses.

10 Based on the declaration of Mr. Snow that is
11 submitted -- or that has been stipulated by the parties, CVP
12 exports will not be impacted unless the Bureau of Reclamation
13 forecast is wrong and the Delta inflows are lower than
14 projected.

15 In the event that inflows occur using the 90 percent
16 exceedence projections instead of the current projected 50
17 percent exceedence, the fall X2 action would require 160,000
18 acre feet of pumping curtailment in October and November in
19 the CVP.

20 This water loss, like the larger water loss suffered
21 by the State Water Project, has a significant impact to human
22 population reliant on the projects.

23 In addition, the water users will have a reduced
24 ability to replenish groundwater depleted during the recent
25 drought years. As the Court's aware, there has been a

1 subsidence issue, a subsidence problem because the overdraft
2 of the groundwater aquifers. This is our opportunity to
3 replenish that overdraft.

4 Finally, the federal contractors have a vested
5 interest in seeing that this Court's judgment regarding fall
6 X2 is honored. Imposition of fall X2 is contrary to the
7 spirit and the intention of the judgment.

8 Thank you.

9 THE COURT: Thank you, Mr. Sims.

10 Mr. Lee.

11 MR. LEE: Your Honor, Clifford Lee on behalf of the
12 Department of Water Resources. For the sake of time, we will
13 waive opening argument and reserve our time for close.

14 I would like to confirm and note your comments at the
15 beginning, the Department of Water Resources will be putting a
16 witness on, Mr. Leahigh, to address the impacts of State Water
17 Project operations, including water supply impacts of the
18 implementation of fall X2 this year.

19 THE COURT: To pick a nit, as always, it's an opening
20 statement. The argument is reserved for the closing.

21 All right. Are the federal defendants -- is that
22 all -- all the plaintiffs have been heard?

23 MR. GONZALEZ: Yes, Your Honor.

24 THE COURT: Yes. Mr. Williams, are you going to
25 present this?

1 MR. WILLIAMS: Yes, Your Honor.

2 THE COURT: You may proceed.

3 MR. WILLIAMS: Thank you, Your Honor. Robert
4 Williams on behalf of the federal defendants. I'd like to
5 begin with the likelihood of irreparable harm to the
6 plaintiffs. Because I think that's where the Court's inquiry
7 can start and end.

8 You know, as the Supreme Court has held, in no
9 uncertain terms, irreparable harm, when we're talking about
10 the extreme relief that an injunction entails, requires a
11 showing of likely irreparable harm. It's not enough for the
12 harm to be possible, the moving party has to establish that
13 it's likely. And the definition of "likely," according to
14 Merriam-Webster, is "having a high probability of occurring or
15 being true. Very probable."

16 Here, Your Honor, the evidence will not show that
17 irreparable harm to plaintiffs is likely unless Action 4, the
18 fall action, is enjoined.

19 First, with respect to the CVP. There will be no
20 water impacts this year. And that bears repeating, Your
21 Honor. No water impacts to the CVP this year. And there's a
22 good chance that there won't be any impacts next year.

23 The only way there would be impacts next year is if
24 it turns out to be a dry year. And of course, we don't know,
25 sitting here today, whether or not that will happen. But it's

1 at least as likely that next year will not be a dry year as it
2 is that it will be a dry year and the chances might even be
3 greater that next year there will be no water impacts. So if
4 there's sufficient precipitation next year, there will be no
5 impact.

6 With respect to the SWP, the factual circumstances
7 are slightly different, but the end result is the same. In
8 terms of water deliveries, the allocation decisions for this
9 year have already been made. So an injunction can't redress
10 any irreparable harm that's alleged to occur this year.

11 So like the CVP, plaintiffs' entire showing for the
12 SWP of likely irreparable harm that can be addressed by
13 injunction rests on the potential for impacts next year. But
14 the likelihood of those impacts is anything but certain.

15 In terms of storage of water that could be used next
16 year, there will be no impacts to the SWP from implementing
17 Action 4 this August and September.

18 And, Your Honor, for October and November, the impact
19 of any losses to storage for next year depend entirely on
20 future hydrology. At this point, all anyone can do is
21 speculate as to what that hydrology may be.

22 It's just as likely that Lake Oroville water storage
23 lost as a result of the fall X2 action will be replenished as
24 it is that there will be lasting storage impacts.

25 So, Your Honor, what this boils down to is plaintiffs

1 are asking you to enjoin the fall X2 action component of the
2 RPA based entirely on speculation, on the mere possibility
3 that there might be future water impacts next year. And we
4 think Supreme Court precedent forbids issuing an injunction
5 under these circumstances.

6 And what's more, even if plaintiffs could show a
7 likelihood of irreparable harm, there's an additional
8 threshold hurdle that the evidence will be show here. And
9 that's causation. There will be no evidence that shows that
10 the BiOp, much less the fall X2 action that plaintiffs are
11 currently seeking to enjoin, is going to cause rampant
12 unemployment in the San Joaquin Valley or other widespread
13 economic injuries that plaintiffs allege.

14 So as I said a moment earlier, I think the Court's
15 inquiry can start and end with the issue of likely irreparable
16 harm to plaintiffs.

17 It's clear, Your Honor, that the anxious -- that the
18 plaintiffs are anxious to present their new witnesses to the
19 Court. Dr. Deriso, Dr. Burnham, Dr. Hanson, Dr. Hutton, and
20 all the new analyses and theories that they've come up with
21 since summary judgment. But, as I said, the Court can resolve
22 this matter without even reaching that testimony.

23 But apparently plaintiffs' attack is two fold here.
24 They want to use this new -- these new theories, these new
25 arguments to bolster their attacks that failed at summary

1 judgment and also to try and overcome a weak showing of likely
2 irreparable harm. The law, however, does not allow this weak
3 showing of likely irreparable harm to be overcome by a strong
4 showing on the merits.

5 So we don't think that the Court even needs to reach
6 the testimony that goes to the scientific bases for X2. But
7 even if the Court were to reach that evidence, we don't think
8 that the evidence will show there's any grounds for enjoining
9 the fall X2 action.

10 Your Honor, let's take stock of where we find
11 ourselves today. First, let's consider the current condition
12 of the delta smelt. The species is on the brink of
13 extinction. There's no dispute about that. The Fish &
14 Wildlife Service has found that an endangered list for the
15 smelt is warranted but precluded by other listing activities.

16 Next, let's consider the fundamental conclusions of
17 the BiOp. There were two of them. And I think it's important
18 to keep in mind that there are two separate findings of the
19 BiOp.

20 The first is that the project operations are likely
21 to jeopardize the smelt's continued existence. And the second
22 conclusion is that those project operations are likely to
23 adversely modify its critical habitat. And this Court has
24 upheld both of those conclusions.

25 So it's important to keep in mind these two separate

1 conclusions, because plaintiffs will say very little about
2 adverse modification of critical habitat. And that's a
3 separate requirement of the ESA. They want to make this all
4 about jeopardy. And if they can show that enjoining fall X2
5 will not cause jeopardy, then it should be enjoined.

6 But there's another requirement, which is adverse
7 modification of critical habitat. And as long as Action 4 is
8 necessary to prevent adverse modification of critical habitat,
9 then it cannot be enjoined, regardless of the jeopardy
10 analysis.

11 So, Your Honor, that's where we are. We have the
12 species on the brink of extinction and project operations
13 that, if left unmitigated by the RPA, are likely to threaten
14 the species' continued existence and adversely modify its
15 critical habitat.

16 And the evidence will show that what prevents this
17 jeopardy and adverse modification of critical habitat is the
18 RPA that Fish & Wildlife and Reclamation have carefully
19 crafted.

20 Your Honor, you will hear from two preeminent Bay
21 Delta fish biologists, Frederick Feyrer, who works for the
22 Bureau of Reclamation, and Matthew Nobriga, who works for Fish
23 & Wildlife. Mr. Feyrer and Mr. Nobriga have done something
24 that none of the plaintiffs' witnesses have done. That's
25 actually to go out into the Delta and conduct original

1 research on the delta smelt and its habitat.

2 Mr. Feyrer and Mr. Nobriga's research has resulted in
3 numerous peer reviewed published articles. These witnesses
4 will explain and the evidence will show that it's important to
5 protect the smelt's fall life stage because that's when the
6 species is gearing up to begin its migration to its spawning
7 grounds in the winter.

8 So by improving conditions in the fall, we give the
9 chance for the species to recover and rebound from past dry
10 years. And for an annual species like the smelt, it can't
11 fall back on other year classes to make up for poor conditions
12 in prior dry years.

13 So that's why it's particularly important this year,
14 in a wet year, that it's given this chance to rebound by
15 increasing its likelihood of successful reproduction and
16 recruitment this coming spring.

17 So the evidence will show there's two ways -- that
18 the way to improve the conditions this fall is to ensure the
19 X2, which represents a salinity level of two parts per
20 thousand and is a habitat indicator for numerous estuarine
21 species, including delta smelt, is maintained at an average of
22 74 kilometers east of the Golden Gate Bridge.

23 That kilometer location ensures that the low salinity
24 zone coincides with Suisun and Grizzly Bays, which have
25 conditions that are favorable to delta smelt. Those areas

1 provide the smelt with greater amount and quality of habitat
2 than is available at more easterly locations upstream of the
3 confluence of the Sacramento and San Joaquin Rivers.

4 And the reason for this is that delta smelt are
5 estuarine dependent and will center their distribution on the
6 location of X2. So maintaining X2 at 74 kilometers this year
7 will distribute them into good habitat conditions.

8 Conversely, if X2 is allowed to recede too far east
9 of the Golden Gate Bridge past 81 kilometers, it would force
10 delta smelt to occupy narrow deep river channels, thereby
11 restricting it to smaller area of low quality habitat during
12 this life stage.

13 The evidence will show that this is not just
14 speculation on Fish & Wildlife's part. Fish & Wildlife did
15 not grab 74 kilometers and 81 kilometers out of a hat, Your
16 Honor. There's evidence of an association between X2 and fall
17 habitat conditions for delta smelt and their abundance.

18 In fact, the evidence will show that the lowest
19 recorded abundances of delta smelt have occurred to correspond
20 with periods when habitat for delta smelt was most restricted.

21 Your Honor, you'll hear from the acting field
22 supervisor for the Fish & Wildlife Service Bay Delta Office,
23 Dr. Jennifer Norris. And she will explain that the RPA is
24 necessary to not only avoid jeopardy, but also adverse
25 modification of habitat.

1 As I mentioned a moment ago, these are two separate
2 requirements of the ESA. And Dr. Norris will explain that
3 vacating Action 4 will remove a key element of the RPA
4 designed to prevent jeopardy and adverse modification from
5 happening. Dr. Norris will explain that Action 4 is the only
6 component of the RPA that addresses the smelt's biological
7 needs during the fall life stage.

8 Plaintiffs will not present a compelling reason to
9 eliminate these important protections of Action 4. For
10 instance, Your Honor, you'll hear from plaintiffs' witness,
11 Dr. Deriso, and he'll offer his opinions based on a new life
12 cycle model that he crafted after the BiOp was prepared.

13 And in their papers, plaintiffs have been quick to
14 crown this life cycle model as the best available science.
15 They use words like definitive, conclusive, when describing
16 Dr. Deriso's life cycle model. They think it forecloses any
17 contrary points of view, that it puts an end to any scientific
18 debate.

19 But the evidence will show that the results of a
20 model, including Dr. Deriso's model, are simply a function of
21 how the model was set up and what data inputs the creators
22 chose to use.

23 So for those reasons, different models can and do
24 produce different results. The evidence will show that other
25 life cycle models for the delta smelt have been conducted and

1 taken different approaches and have come to different
2 conclusions than Dr. Deriso's model about the relative
3 importance of factors affecting the smelt.

4 And I think Mr. Gonzalez mentioned two of those other
5 life cycle models. Mr. Feyrer, whose work is the focus of
6 many of plaintiffs' attacks today, was a co-author on both of
7 those life cycle models and Your Honor will hear from Mr.
8 Feyrer, who will explain his work on those.

9 So what all this shows, Your Honor, is there's no
10 single paper or model that's the final say on this, the final
11 truth. And given the amount of scientific disagreement, no
12 reasonable resource manager would base his decisions on a
13 single paper or single model or single piece of evidence.

14 I think the scientific disagreement over the life
15 cycle models tipifies this entire evidentiary hearing.
16 Plaintiffs and their witnesses will offer their opinions, but
17 at the end of the day, they're just that. They're their
18 opinions.

19 The evidence will show that there's evidentiary
20 support for the fall X2 action and the fact that plaintiffs
21 hold contrary opinions does not erase that evidence, does not
22 erase that support.

23 When the bases for these attempted criticisms that
24 plaintiffs' witnesses will offer are brought to light, the
25 criticisms by Dr. Burnham, Dr. Hanson, Dr. Hutton, the

1 evidence will show that these criticisms are either not
2 supported by the analyses that were performed, that these
3 criticisms were based on invalid data or the wrong kind of
4 analyses to answer the questions that these witnesses were
5 trying to answer.

6 So in sum, Your Honor, Congress has charged the
7 federal agencies with implementing project operations in a way
8 that complies with the ESA and they are doing that in a
9 reasoned, in a rational and in a responsible manner. The
10 Court should not substitute its judgment or the mostly
11 unreviewed opinions of plaintiffs' witnesses for the well
12 reasoned and extensively peer reviewed judgment of the expert
13 agencies.

14 Thank you, Your Honor.

15 THE COURT: Thank you, Mr. Williams.

16 Mr. Orr, Ms. Poole?

17 MR. ORR: Good morning, Your Honor, we concur in the
18 opening statement that the federal defendants made. And in
19 the interest of time, we will waive a separate statement of
20 our own.

21 MS. POOLE: We will as well, Your Honor. Thank you.

22 THE COURT: Thank you very much.

23 All right. You may call your first witness.

24 MR. GONZALEZ: We call Dr. Richard Deriso.

25 ///

1 **RICHARD BRUCE DERISO,**
2 called as a witness on behalf of the Metropolitan Plaintiffs,
3 having been first duly sworn, testified as follows:

4 THE CLERK: Please have a seat. And when you do,
5 state your full name for the record and spell your last.

6 THE WITNESS: My name is Richard Bruce Deriso, Deriso
7 is spelled D-E-R-I-S-O.

8 THE COURT: You may proceed.

9 MR. GONZALEZ: Thank you, Your Honor.

10 Your Honor, for the record, Dr. Deriso was previously
11 qualified by this Court on April 6th, 2010 as an expert on
12 fish population dynamics, quantitative analysis of fish
13 populations and modeling of fish populations and dynamics.

14 **DIRECT EXAMINATION**

15 BY MR. GONZALEZ:

16 Q. Good morning, Dr. Deriso.

17 A. Good morning.

18 Q. First an administrative point. There are two exhibits in
19 the binder before you. Exhibits 3 and 5. Are those the two
20 declarations that you signed in connection with this motion,
21 one dated June 16, the other July 15, 2011?

22 A. Yes.

23 MR. GONZALEZ: Your Honor, we would move exhibits 3
24 and 5 into evidence.

25 THE COURT: Any objection?

1 MS. POOLE: No objection, Your Honor.

2 MR. EDDY: Subject to our objection in the motion to
3 strike, Your Honor.

4 THE COURT: All right. Thank you. I have ruled
5 substantively on those. Therefore, the Exhibits 3 and 5 are
6 received in evidence.

7 (Metropolitan Plaintiffs' Exhibits 3 and 5
8 were received.)

9 BY MR. GONZALEZ:

10 Q. Doctor, when you testified in this courtroom on April 6th
11 last year, one of your concerns for the BiOp was that the
12 government did not prepare a life cycle model. Do you recall
13 that?

14 A. Yes.

15 Q. Since you last testified in this courtroom, have you done
16 additional work on the life cycle model that you had been
17 working on at that time?

18 A. Yes.

19 Q. Is this an expansion of the life cycle model that you
20 testified about previously that you had prepared in December
21 of 2009?

22 A. Yes.

23 Q. And did you prepare a written paper based on your work?

24 A. Yes.

25 Q. And that is attached, for the record, as Exhibit A to

1 Exhibit 3, your original declaration in this proceeding?

2 A. Yes.

3 Q. Have you submitted that paper for publication?

4 A. Yes.

5 Q. To whom?

6 A. Canadian Journal of Fisheries and Aquatic Sciences.

7 Q. Is that a peer reviewed journal?

8 A. Yes.

9 Q. Is it respected in your profession?

10 A. Yes.

11 Q. And what's the status of its publication?

12 A. It's been published. It's available online. Printed copy
13 should be out shortly.

14 Q. And footnote 2 of your reply declaration, Exhibit 5, has
15 the online address so that if anybody wants to look at it,
16 they can?

17 A. Yes.

18 Q. You had a co-author in that publication, Dr. Mark Maunder?

19 A. Yes.

20 Q. Why did you choose Dr. Maunder as your co-author?

21 A. Dr. Maunder is the main developer of several fishery stock
22 assessment models. He is currently the head of our stock
23 assessment group at the Inter-American Tropical Tuna
24 Commission. He has consulted with a number of foreign
25 governments. He's taught graduate courses. He has over 40

1 publications. Just a really top notch scientist.

2 Q. Doctor, the model that you and Dr. Maunder created is
3 called a state-space multi-stage life cycle model?

4 A. That's correct.

5 Q. Can you tell us briefly what that means.

6 A. Yes. A life cycle model is a model which mathematically
7 models the entire life cycle of an animal from adults to their
8 offspring, as they grow up, as they become adults and so on,
9 completing the entire cycle.

10 It's a multi-stage because we have multiple surveys
11 on the delta smelt. We have -- and so we partitioned the life
12 history into these multiple stages going from the fall, and
13 the fall midwater trawl survey to the spring, for the larvae
14 juvenile in the 20 millimeter survey. And the summer
15 juveniles, as measured with the summer townet survey.

16 The state space part of it refers to a particular
17 statistical approach to estimating the parameters for such a
18 model. And the advantage of the state space modeling approach
19 is that it explicitly accounts for both observation error and
20 natural random variability in the population's dynamics.

21 Q. Why did you choose that particular model for analyzing
22 delta smelt?

23 A. That particular model is capable of utilizing an array of
24 surveys, as I've discussed. And so that you can more closely
25 tailor testing of environmental factors with a particular life

1 stage that you would expect that it would -- or that it could
2 affect.

3 The estimation technique more formally addresses
4 that, in fact, there are errors in these surveys, and so you
5 want to take that into account. There is also natural
6 variability in the dynamics, so you would like to take that
7 into account as well.

8 Q. Is this the type of life cycle model that, in your view,
9 the Fish & Wildlife Service should have prepared as part of
10 its work on the BiOp?

11 A. Yes.

12 Q. Doctor, would you please tell the Court what specifically
13 you did to update your work on this life cycle model since you
14 last testified?

15 A. When I last testified, we had initially developed a model
16 in December of '09. And we had used a simpler estimation
17 method with it. One which was essentially so simple that I
18 could actually implement the thing on an Excel spreadsheet.
19 This version is more complex, requires more complex software
20 to do what I think is a better job at the estimation.

21 Q. Part of your update included a mathematical process to
22 determine what was harming the fish?

23 A. Yes. We structured it so that we could do essentially
24 hypothesis testing about some candidate environmental factors
25 to see if they were important in accounting for the changes in

1 the -- to population's growth rate and particularly in the
2 decline of delta smelt.

3 Q. And did you then apply the process?

4 A. Yes.

5 Q. And based on the work that you did with Dr. Maunder, did
6 you reach a conclusion as to what the data indicated were
7 important factors relevant to determining what was causing the
8 decline in the delta smelt population?

9 A. Yes.

10 Q. And please tell the Court what conclusions you reached.

11 A. What we found in their model selection process was that
12 three kinds of environmental factors turned out to be quite
13 important. One was the food abundance in the spring as
14 measured zooplankton index. Also in the spring, the water
15 temperature is important. The fall, the predation index was
16 also important. Those were the three sort of main
17 environmental covariates that were important.

18 Q. What about density dependence?

19 A. Yeah, density dependence also turned out to be important.

20 Q. And you say "food abundance," is that sometimes referred
21 to as prey abundance?

22 A. Yes.

23 Q. And that seems pretty obvious, that there's no food, it's
24 hard for the fish to survive. Is that basically the point?

25 A. Yes.

1 Q. And with respect to predation, those are predators, bigger
2 fish that would eat the smelt?

3 A. That's correct.

4 Q. And temperature in spring, can you tell me what is your
5 understanding as to why that would be a factor?

6 A. A fish's metabolic rate is a function of temperature. The
7 warmer the temperature, the higher the metabolism, the higher
8 the need for food consumption is. It's -- and if it gets too
9 high, then you just get into a zone where they're just going
10 to die.

11 Q. And density dependence, what is your understanding as to
12 why that would be a factor?

13 A. Density dependence is a fundamental factor of population
14 regulation in all the animals. And essentially that is the
15 mechanism which prevents a population from growing
16 exponentially and overpopulating the world with its kind.

17 And the mechanisms of density dependence vary.
18 Certainly limitations because of habitat size, increased
19 number of individuals, they compete between each other for the
20 available food, they can deplete the food supply because of an
21 overabundance. That sort of thing.

22 Q. Doctor --

23 THE COURT: All right. We're going to take, at this
24 time, the morning recess. We will stand in recess until 20
25 minutes after 10.

1 MR. GONZALEZ: Thank you, Your Honor.

2 THE COURT: You may step down.

3 (Recess.)

4 THE COURT: We're going back on the record in the
5 delta smelt consolidated cases. We're going to resume the
6 testimony of Dr. Deriso. Mr. Gonzalez, you may continue.

7 BY MR. GONZALEZ:

8 Q. When we broke, Dr. Deriso, you were talking about the
9 conclusions that you and Mr. Maunder reached about what was
10 causing the decline in the delta smelt population. As part of
11 your work with Dr. Maunder, did you analyze X2 --

12 A. Yes.

13 Q. -- to see -- let me --

14 A. Excuse me.

15 Q. Let me just complete the question.

16 Well, did you analyze it as part of your work with
17 Dr. Maunder?

18 A. Yes.

19 Q. And did you come to any conclusion as to whether or not
20 there was a relationship between it and the delta smelt's
21 population decline?

22 A. Yes.

23 Q. What conclusion did you reach?

24 A. X2 was not an important factor.

25 Q. Are you aware of any scientifically reliable model that

1 indicates that X2 contributes to the delta smelt's population
2 decline?

3 A. No.

4 Q. Doctor, with respect to habitat, as defined by the X2
5 proposal, has the government, in your view, demonstrated that
6 that is critical habitat necessary for the survival of the
7 species?

8 A. No.

9 Q. Doctor, based on your work, is there any --

10 THE COURT: Well, let's back up. How do you
11 understand the government to define critical habitat?

12 THE WITNESS: Yes, Your Honor. I don't know how the
13 government defines it. It's my understanding that critical
14 habitat is habitat necessary for the survival of the species.

15 THE COURT: Yes. And if you assume that the
16 government defines it as including Sacramento River to and
17 including the area that reaches the Golden Gate Bridge, do you
18 have that in mind?

19 THE WITNESS: I have in mind the location of the
20 delta smelt as measured by the surveys. And they are, of
21 course, in the low salinity zone, which would go up to like
22 nine parts per thousand or so. So they're in that part of the
23 system. And rather widely distributed.

24 THE COURT: And geographically, what area is that?

25 THE WITNESS: Well, they can go as far west as down

1 into Suisun Bay. Then as we've heard, they've now -- they've
2 expanded the surveys, they're finding them far up in the fresh
3 water. And certainly when they're in their spawning period,
4 then they will move into the fresh water portions of the
5 system.

6 THE COURT: And where is that?

7 THE WITNESS: The location of the delta smelt as
8 indicated by the 20 millimeter survey, for example, so this is
9 a very young offspring is -- they are in both the east Delta
10 as well as the western part of the Delta. It's all up in the
11 more fresh water areas of the system.

12 THE COURT: And our question is to try to describe,
13 by geographic reference, where in the Delta that is. In other
14 words, east and west, but in relation to how far from any
15 established landmarks or designated area?

16 THE WITNESS: Well, certainly they're in the spawning
17 cycle into the fresh water. So wherever there is fresh water,
18 there could be delta smelt. They shift their geographic
19 distribution from one year to the next.

20 For example, this year they were located further west
21 than they have been in other years.

22 THE COURT: You may continue.

23 MR. GONZALEZ: Thank you, Your Honor.

24 Q. Doctor, just wrapping up this topic. Based on your work,
25 is there any reasonable scientific basis for concluding that

1 the delta smelt will be harmed if the fall X2 action is not
2 implemented?

3 A. No.

4 Q. Now, let me shift gears a little bit and ask you a few
5 questions about the work of Mr. Feyrer. You've read the BiOp
6 and Mr. Feyrer's article from 2008 that's referenced in the
7 BiOp?

8 A. Yes.

9 Q. Hopefully your screen is --

10 A. It works.

11 Q. Great. I am putting up on the screen, Your Honor, an
12 excerpt from page 236 of the BiOp. The BiOp being Exhibit 1.

13 In fact, for the record, Your Honor, I should move
14 the BiOp, Exhibit 1, into evidence.

15 THE COURT: Any objection? I mean, it's judicially
16 noticeable.

17 MS. POOLE: No objection.

18 MR. EDDY: No, Your Honor.

19 THE COURT: Exhibit 1 is received in evidence.

20 (Metropolitan Plaintiffs' Exhibit 1 was received.)

21 BY MR. GONZALEZ:

22 Q. And you'll see there that there is a reference in the BiOp
23 to Mr. Feyrer's article of 2008. It says, "The model relating
24 X2 to delta smelt abundance was updated from that developed by
25 Feyrer, et al. 2008."

1 And you've read the 2008 article?

2 A. Yes. The manuscript.

3 Q. If you will turn to Exhibit 6A in your binder. There
4 should be some excerpts from Mr. Feyrer's 2008 article.

5 Are you familiar with these two excerpts?

6 A. My exhibit number 6 contains --

7 Q. 6A. Is there a 6A?

8 A. Oh, excuse me. 6A. Got you. Okay. Got you.

9 Yes. I see the parts there.

10 Q. And you recognize these as being excerpts from Mr.
11 Feyrer's 2008 article?

12 A. Yes.

13 Q. There's a reference to a Table 1. Do you see that?

14 A. Yes.

15 Q. Is that also attached to Exhibit 6A in your binder?

16 A. Yes.

17 Q. And you also have attached to Exhibit 6A, Figure 3 from
18 Mr. Feyrer's 2008 article?

19 A. Yes.

20 MR. GONZALEZ: Your Honor, I move Exhibit 6A into
21 evidence.

22 THE COURT: Any objection?

23 MS. POOLE: Yes, Your Honor. Counsel has not
24 provided us with a copy of the binder, so I can't tell whether
25 the full document that he is using excerpts of has also been

1 provided. I do want to make sure that is in evidence.

2 MR. GONZALEZ: All right.

3 THE COURT: All right.

4 MR. GONZALEZ: We can back up.

5 Q. If you can look at Exhibit 6.

6 A. Yes.

7 THE COURT: Well, the question is do the defendant
8 intervenors and the federal defendants have the exhibit?

9 MS. POOLE: We have not been provided a copy of the
10 binder that the witness and the Court have been provided. No,
11 Your Honor.

12 MR. GONZALEZ: I'll give them a copy of this exhibit,
13 Your Honor, 6A.

14 THE COURT: And is the underlying data on which he
15 relies also in this exhibit you've just handed them?

16 MR. GONZALEZ: Exhibit 6A, Your Honor, which I
17 believe is in the Court's binder, is simply excerpts from
18 Dr. -- or Mr. Feyrer's article. It's the two excerpts --

19 THE COURT: Does it include Table 1?

20 MR. GONZALEZ: Yes, it does, Your Honor. Table 1 and
21 also Figure 3.

22 MS. POOLE: And Your Honor, if I --

23 THE COURT: Yes.

24 MS. POOLE: -- may clarify. My objection is to
25 whether the complete Feyrer 2008 article is also being moved

1 into evidence. We would like the complete study.

2 MR. GONZALEZ: The answer is yes.

3 THE COURT: All right. Under the rule of
4 completeness --

5 MR. GONZALEZ: We have no problem with that.

6 THE COURT: -- Rule of Evidence 103, if we have it,
7 let's mark it and admit it into evidence. The Feyrer article.
8 The whole thing.

9 MR. GONZALEZ: Your Honor, it's already in our binder
10 as Exhibit 6.

11 THE COURT: All right.

12 MR. GONZALEZ: So I would move Exhibit 6 and 6A into
13 evidence at this time.

14 THE COURT: Any objection?

15 Exhibit 6 and 6A are received in evidence.

16 (Metropolitan Plaintiffs' Exhibits 6 and 6A
17 were received.)

18 BY MR. GONZALEZ:

19 Q. All right. Doctor, now turning to Exhibit 6A. I've just
20 gone over the two references and the Table 1 and the figure.

21 Are you familiar with the final article that Mr.
22 Feyrer published based upon the 2008 draft that is referenced
23 in the BiOp?

24 A. Yes.

25 Q. And if you'd turn to tab 7 in your binder. Is that the

1 final article that was published by Mr. Feyrer?

2 A. Yes.

3 MR. GONZALEZ: Your Honor, I move Exhibit 7 into
4 evidence.

5 THE COURT: Is it the whole article?

6 MR. GONZALEZ: Yes, it is.

7 THE COURT: Any objection?

8 MR. EDDY: No, Your Honor.

9 THE COURT: Exhibit 7 is received in evidence.

10 (Metropolitan Plaintiffs' Exhibit 7 was received.)

11 BY MR. GONZALEZ:

12 Q. Now, going to try to do this as quickly as possible. But
13 if you look at the words on your monitor, which come from
14 Exhibit 6A, which are words that were contained in the draft.
15 There's a reference that says, and I'll quote just part of it.
16 "The amount of available habitat has affected delta smelt
17 abundance during 1987-2007." And then it references Table 1.

18 Are these words on the screen that I just read in the
19 final Feyrer article?

20 A. No.

21 Q. And the Table 1 that is referenced by Mr. Feyrer, that is
22 attached to Exhibit 6A, is that contained in his final
23 article?

24 A. No.

25 Q. And if you look at the words at the bottom of Exhibit 6A,

1 which I put up on the monitor, I'll read just parts of it.
2 "Manipulations of autumn X2 could result in substantially
3 different population levels of delta smelt."

4 Are those words contained in the final Feyrer
5 article?

6 A. No.

7 Q. And if you'll turn to the last page of Exhibit 6A, which
8 is also the last page of Exhibit 6, the 2008 draft, there is a
9 figure that had been included in Mr. Feyrer's 2008 draft,
10 which is Figure 3. At the bottom, for the record, it says,
11 "X2 management scenarios." Are you familiar with this figure?

12 A. Yes.

13 Q. Can you tell the Court, just briefly, what it purports to
14 represent?

15 A. These are four hypothetical scenarios about what X2
16 management -- the X2 management would be in the future. This
17 is done by applying the life cycle model that was in the 2008
18 manuscript and doing a computer simulation of potential
19 population trajectories into the future under each of these X2
20 management scenarios.

21 Q. Is Figure 3, from the 2008 draft, included in the final
22 published article by Mr. Feyrer, which is Exhibit 7?

23 A. No.

24 Q. Is there any explanation in Mr. Feyrer's final published
25 article as to why these words and graphs that I'm showing you

1 are not included in the final article?

2 A. No.

3 THE COURT: I'm going to ask a question. If you can
4 back up to the last exhibit. When the term "manipulation of
5 X2" is used, do you have any understanding of what that means?

6 THE WITNESS: Yes. My understanding of that is that
7 it's in reference to Figure 3. And the manipulations that
8 they're talking about are these alternative management
9 scenarios that are simulated that ended up in the results in
10 Figure 3.

11 THE COURT: And the alternative management scenarios,
12 what do you understand those to be?

13 THE WITNESS: These are different levels of X2. They
14 are proposing either just completely random or high X2, but
15 still randomized, a lower X2 but still randomized.

16 THE COURT: And higher or lower means what in this
17 context?

18 THE WITNESS: The specific details of it are not at
19 the top of my head right now, I'm sorry. They're in the text.
20 And I could look it up for you very quickly, because it's just
21 a matter of --

22 THE COURT: Well, does "higher" mean further from
23 the --

24 THE WITNESS: Further up, yes.

25 THE COURT: -- Golden Gate Bridge?

1 THE WITNESS: Yes.

2 THE COURT: "Lower" means closer?

3 THE WITNESS: Yes. Yes.

4 THE COURT: All right. You may continue.

5 MR. GONZALEZ: Thank you, Your Honor.

6 Q. Doctor, is there anything in Mr. Feyrer's final article,
7 Exhibit 7, that in your opinion can support an argument that
8 the delta smelt will be harmed if X2 is not implemented?

9 A. No.

10 Q. Doctor, in this Court's December 14, 2010 order, at page
11 221, the Court wrote, quote, "The BiOp has failed to
12 sufficiently explain why maintaining X2 at 74 kilometers" dot
13 dot dot "is essential to avoid jeopardy."

14 Even if one were to assume that X2 is a reasonable
15 basis for determining delta smelt habitat, even if we just
16 assume that for sake of argument, can you find a reasonable
17 place to put X2 based on Mr. Feyrer's final published article?

18 A. No.

19 Q. Is there anything in that article that, in your view,
20 would support maintaining X2 at 74 kilometers?

21 A. No.

22 Q. Doctor, now switching gears to other articles. Are you
23 familiar with the two articles published last year by MacNally
24 and Thomson?

25 A. Yes.

1 Q. And are they Exhibits 9 and 10 of your binder?

2 A. Yes.

3 MR. GONZALEZ: Your Honor, we move Exhibits 9 and 10
4 into evidence.

5 THE COURT: Are they complete articles?

6 MR. GONZALEZ: Yes, Your Honor.

7 THE COURT: Any objection?

8 MR. EDDY: No, Your Honor.

9 THE COURT: Exhibits 9 and 10 are received in
10 evidence.

11 (Metropolitan Plaintiffs' Exhibits 9 and 10
12 were received.)

13 BY MR. GONZALEZ:

14 Q. Can you tell the Court, just briefly, what those two
15 articles by MacNally and Thomson examine?

16 A. They did an analysis -- it's, I think, the same authors in
17 both of the articles. And both of the articles are looking at
18 biotic, that is biological and abiotic, like temperatures,
19 salinity and such, factors to see if they can determine which
20 of those are responsible for the decline in these four pelagic
21 fish species. The four, one of them includes delta smelt.
22 You have striped bass, longfin smelt and threadfin shad, I
23 believe.

24 Q. Among other things, did the articles examine the spring
25 and fall X2?

1 A. Yes. They did.

2 Q. And do either of those papers find any connection between
3 X2 and the delta smelt's population decline?

4 A. No.

5 Q. Is Mr. Feyrer a co-author of both of those papers?

6 A. Yes.

7 Q. And also Ken Newman, who has served as an expert for
8 defendants in this case, is he also a co-author of both of
9 those reports?

10 A. Yes.

11 Q. So we talked about your model, the articles authored by
12 MacNally and Thomson. Are you aware of any study or article
13 that has been published since you last testified that finds a
14 connection between X2 and the decline of the delta smelt?

15 A. No.

16 Q. Are you aware of any study or article published since you
17 last testified that finds a connection between the location of
18 X2 and the decline of the delta smelt?

19 A. No.

20 Q. Doctor, do you understand that our client is asking this
21 Court for an injunction to bar the implementation of the fall
22 X2 action?

23 A. Yes.

24 Q. And do you understand that one of the questions that this
25 Court has to consider is whether an injunction would harm the

1 delta smelt?

2 A. Yes.

3 Q. If the Court were to ask you whether, in your opinion, an
4 injunction would harm the delta smelt, what would you say?

5 A. I would say no. I mean, I've looked at the available
6 scientific data on this species. I've done a number of
7 analyses. And there really is nothing out there to show that
8 X2 is going to have an effect on the species' survival.

9 MR. GONZALEZ: Thank you. That's all I have, Your
10 Honor.

11 THE COURT: What is your analysis of the impact of
12 X2, first of all, on the smelt?

13 THE WITNESS: The analysis --

14 THE COURT: Delta smelt.

15 THE WITNESS: On the delta smelt, the analysis that
16 we've done with our life cycle model was to look at fall X2
17 and specifically to address whether or not it was an important
18 environmental covariate in the model. Specifically does it
19 improve the fit of the model to the observed data. And the
20 answer is no, it doesn't.

21 THE COURT: And in terms -- are you qualified to
22 opine on whether the salinity level at location, wherever it
23 is, how that affects the delta smelt?

24 THE WITNESS: As I have said, Your Honor, what I have
25 done is used the existing data, used the X2 data series,

1 applied this to a life cycle model. And I do not find it to
2 be an important factor for the entire time series analysis,
3 going from 1970 through 2006.

4 THE COURT: And so, from a fish biology standpoint,
5 the salinity level, at least at the levels that X2 have been
6 measured in the 80 years of data, in your opinion do not have
7 an effect on the species?

8 THE WITNESS: Certainly not on the survival of the
9 species. I have found no evidence that it impacts the
10 population growth rate and the survival of the species.

11 THE COURT: And the reason or reasons for that?

12 THE WITNESS: The delta smelt reside in different
13 geographical parts of the system in different years. And if
14 it is too saline for their taste, then they may shift their
15 geographical distribution. But that doesn't mean that it
16 affects their survival.

17 I mean, when you're looking at factors affecting
18 survival, it's not just salinity. You've got to take into
19 account what the prey abundance is like, what the predation
20 pressure is like. You have things like turbidity that can be
21 used to shield the delta smelt from predators. And then, of
22 course, you have contaminants.

23 So there's really multiple factors that define the
24 habitat of delta smelt. And that may be the reason why
25 something as simple as X2 just doesn't work.

1 THE COURT: And is your understanding of the premise
2 of this X2 RPA that the reason for this measure is to increase
3 the abundance of habitat for the species?

4 THE WITNESS: I believe it says something like that.

5 THE COURT: And is the opinion that you have rendered
6 that there is enough, in other words, saline safe -- we'll use
7 that lay term -- habitat, meaning water, whatever it is,
8 channel, deep, shallow, stream, where the fish can exist?

9 THE WITNESS: Yes. Certainly at -- especially at
10 these abundance levels. We're talking about a species which
11 is admittedly depleted. And so you're at a low population
12 level. So you -- on the face of it, you wouldn't expect that
13 the size of the habitat is going to be a constraint on the
14 species.

15 Now, if they get very abundant again, then you may
16 have an argument that increasing available habitat is going to
17 influence the species. But certainly not at these levels of
18 abundance.

19 THE COURT: Well, if one of the objectives of the law
20 is to increase the abundance of the species, what is your
21 opinion about the present X2 RPA in terms of increasing the
22 species. Not just enabling it to survive.

23 THE WITNESS: I don't think it's going to have an
24 influence on the survival of the species, certainly in this
25 year. In this period of time.

1 THE COURT: Because there is enough habitat?

2 THE WITNESS: Because there's plenty of habitat. And
3 habitat is not a constraining factor.

4 THE COURT: You may proceed.

5 MR. GONZALEZ: Thank you, Your Honor. That's all the
6 questions we have at this time.

7 THE COURT: All right. Any other plaintiff wish to
8 question this witness?

9 MR. WILKINSON: No, Your Honor.

10 THE COURT: Cross-examination.

11 MR. EDDY: Thank you, Your Honor.

12 I'm going to be using the Elmo. So I'd like to be
13 sure it's working. Just a couple of preliminary matters, Your
14 Honor. We've prepared a courtesy copy binder set of all
15 exhibits that the defendant parties plan to use and have
16 identified on their exhibit list for you. We haven't made one
17 for all parties. We'd be handing out those individually. But
18 I do have a set for you if that would --

19 THE COURT: Yes.

20 MR. EDDY: -- be convenient.

21 THE COURT: That would help. Thank you.

22 MR. EDDY: I'd also ask for the Court's permission
23 for my colleague, Mr. Williams, to approach the witness and
24 the courtroom deputy.

25 THE COURT: Yes, you may.

1 CROSS-EXAMINATION

2 BY MR. EDDY:

3 Q. Good morning, Dr. Deriso.

4 A. Good morning.

5 Q. We met in this courtroom once before. I'm Ethan Eddy, I'm
6 an attorney with the United States Department of Justice. And
7 I'm one of the lawyers for the defendant agencies in this
8 case.

9 To start us off, Dr. Deriso, are you being paid for
10 your work on this case?

11 A. Yes.

12 Q. And are you being paid for your testimony here today?

13 A. Yeah, I'm going to charge them for my time.

14 Q. And that's an hourly rate, I assume.

15 A. Yeah.

16 Q. And which of the parties is paying for your time?

17 A. Metropolitan Water District.

18 Q. And can you give us the hourly rate, please, sir?

19 A. \$200.

20 Q. In addition to that hourly rate, is there any sort of flat
21 fee or retainer arrangement?

22 A. No.

23 Q. Is there any sort of a cap on your fee amount?

24 A. The total aggregate of the charges with Metropolitan Water
25 District is currently -- there's a total cap, I think, of

1 500,000. That's not just for delta smelt, but everything I do
2 for them.

3 Q. Thank you, sir.

4 Dr. Deriso, you wouldn't consider yourself a delta
5 smelt biologist; would you?

6 A. No.

7 Q. Have you ever worked on a biological opinion?

8 A. No.

9 Q. And have you done any work at all pertaining to the delta
10 smelt prior to this litigation?

11 A. No. Well, strike that. I -- I was a party to a panel
12 that was ad hoc assembled in March of 2009 to look briefly at
13 the delta smelt.

14 Q. Can you tell us who assembled that panel?

15 A. I don't know, perhaps the judge can tell me. Dr. Rob Roy
16 Raynor was the contact. The person who contacted me to
17 assemble this panel.

18 Q. And is this -- was this some sort of an administrative
19 hearing?

20 A. No, no. This was an ad hoc panel to offer our thoughts on
21 some of the work that had been written about -- about the
22 delta smelt.

23 Q. So it was like a public speaking event?

24 A. Yeah, sort of like that. Sort of like a -- it
25 was -- yeah, it was at the university auditorium and very ad

1 hoc.

2 Q. Do you recall, doctor, whether any environmental advocacy
3 groups were also invited to participate in this panel?

4 A. I didn't -- oh, as a part of the panel? No, I don't
5 believe so. I believe they were all scientists.

6 Q. And this -- and this panel, you said, pre-dated this
7 litigation?

8 A. Well, I mean, it depends on what "this litigation" is.
9 The litigation I've been involved with since 2009 started in
10 July. It pre-dates that.

11 Q. Thank you. Let's talk briefly about the life cycle model
12 that you developed with Dr. Maunder. I believe you testified
13 earlier this morning that a manuscript reporting the results
14 of the model was recently published in the Canadian Journal of
15 Fisheries & Aquatic Sciences; is that right?

16 A. Yes, that's right.

17 Q. But that paper itself is not the actual model; right?

18 A. I don't understand that.

19 Q. Is it -- does the paper present a discussion of the model?

20 A. Oh, yes.

21 Q. But the model itself is a computer program, an equation,
22 it's not in -- it's not the paper itself is the model?

23 A. Well, the model has all the mathematical equations listed
24 in the publication. And so it's got all the details. The
25 computer code itself, no, that's not in the paper. The

1 computer code is not there, no.

2 Q. So does the paper, then, report the results that you
3 obtained when you ran certain variables, inputs through the
4 model?

5 A. Yes, it does.

6 Q. And in that paper, talking about the published paper, you
7 did not, in fact, examine the effects of fall X2 on delta
8 smelt abundance; did you?

9 A. No, not in that paper.

10 Q. And instead, that conclusion appears in an appendix to the
11 declaration that you filed this June; is that right?

12 A. Yes.

13 Q. And I believe that's been admitted into evidence as
14 Plaintiffs' Exhibit 3, which you probably still have up there.
15 So I'd like to refer you to that.

16 A. I have Exhibit 3 in front of me.

17 Q. And is the conclusion that fall X2 does not affect delta
18 smelt abundance, it's there, reported in Exhibit B?

19 A. Yeah, that's where it is.

20 Q. Okay. And Exhibit B is only three pages; is it not?

21 A. Yes.

22 Q. Could you please read to me on page 1, the date that
23 Exhibit B was created?

24 A. It says October 2010.

25 Q. Thank you. Is Plaintiffs' Exhibit 8 up there in your

1 binder, doctor? And this would be the version of your
2 published paper as it appears in the journal.

3 A. Yes, I have it.

4 Q. Okay. You've got that there. Could you take a look for
5 me at the first page, down at the bottom, there's a little box
6 or kind of a black line thing.

7 A. Yes.

8 Q. And under that, does it say that the manuscript was
9 received by the journal on April 11th, 2011?

10 A. Yes.

11 Q. And does it say that the manuscript was accepted for
12 publication on April 28th, 2011?

13 A. Yes.

14 Q. So I believe you just testified that the analysis in
15 Exhibit B, you completed in October 2010.

16 A. Yes.

17 Q. Yet you did not submit that as part of your submission to
18 the journal.

19 A. No.

20 Q. Has the analysis in Attachment B ever been published,
21 doctor?

22 A. Oh, that little report? No.

23 Q. No. Has it been formally peer reviewed?

24 A. Peer reviewed? No.

25 Q. In your declarations, you've offered a critique of Action

1 4 component 3 of the RPA; is that right?

2 A. Yes.

3 Q. Will you tell us in what months of the year Action 4 is
4 implemented?

5 A. Well, September and October. You mean RPA 3?

6 Q. RPA component 3, which is sometimes referred to as Action
7 4. But for the sake of simplicity, I think we're all talking
8 about it as the fall X2 action.

9 A. Yeah. Okay. Well, certainly September and October. Then
10 there can be some other actions triggered by other things in
11 November, which carry into December.

12 Q. Okay. And will you tell us in what water year types
13 Action 4 is to be implemented?

14 A. Well, certainly in an above normal water year, like this
15 year, it is -- it's to be implemented.

16 Q. And the method section of Attachment B to your declaration
17 states that you examined two averaging periods for fall X2.
18 October through December and September through December. Is
19 that right?

20 A. Uh-huh.

21 Q. Is October through December the same time periods that
22 Action 4 operates?

23 A. Actually, it would be -- include September.

24 Q. It's included there. So you examined a different,
25 slightly perhaps different period than what's actually to be

1 implemented in the BiOp; is that right?

2 A. Yeah. Maybe. I -- it depends, you know. This action can
3 go on through December. So it could go September through
4 December.

5 Q. Do you happen to know if it -- if the agency's intent is
6 for it to go into December of this year?

7 A. I don't know.

8 Q. Does your work in Attachment B examine the effects of X2
9 on delta smelt in specific water year types?

10 A. No.

11 Q. So in Attachment B, you examined X2 during all water year
12 types?

13 A. Yes.

14 Q. And that set would be something different than what Action
15 4 is to be implemented during; is that right?

16 A. Yes.

17 Q. Have you included in your declarations, doctor, any
18 analyses that examine the effects of X2 on delta smelt using
19 the same months and water year types prescribed by Action 4?

20 A. No.

21 Q. And you used data on X2 in that Attachment B from the
22 period 1972 to 2006; is that right?

23 A. That's correct.

24 Q. Doctor, do you know how many years, during that 35-year
25 period, the water years were wet or above normal?

1 A. Not off the top of my head, no.

2 Q. Doctor, are you familiar -- I believe you testified
3 earlier this morning that you were familiar with a fish survey
4 called the summer townet survey; is that right?

5 A. Yes.

6 Q. And that survey is conducted by the California State
7 Department of Fish & Game; is that right?

8 A. Yes.

9 Q. And that data set goes back more than 50 years; is that
10 right?

11 A. It goes back a long ways.

12 Q. Okay. Well, let's take a look at exactly how long. I've
13 got Defendants' Exhibit 507 here, just so we can be clear on
14 this.

15 All right. I'm going to ask you to turn to what I
16 think is the second page of that document. And I've got it up
17 on the screen as well.

18 But first let me ask you: Is it your understanding
19 that these data are publicly available?

20 A. Yes.

21 Q. Made available by the State of California?

22 A. Yes.

23 Q. But the -- let's -- I'm sorry.

24 Let's go back to my earlier question about the length
25 of this data set. Do you see on the bottom axis there, where

1 it says "year"?

2 A. Yes.

3 Q. Is it your understanding there that over on the left-hand
4 side, where it says "60," that refers to the year 1960?

5 A. Yes.

6 Q. But for the summer abundance variable in your life cycle
7 model, doctor, you chose not to use the summer townet survey;
8 is that right?

9 A. To not use it? Period? I did use it.

10 Q. To not use the summer townet survey. You do use the
11 summer townet survey?

12 A. Yeah.

13 Q. Can you explain to us how you use that then.

14 A. The summer townet survey is one of the three survey
15 indices that are used in the application of -- to delta smelt
16 that appears in the recent publication.

17 Q. And that application that you're referring to, is that the
18 work of Mr. B.J. Miller?

19 A. No, no, the application I'm talking about is the Maunder
20 and Deriso, Canadian Journal of Fisheries & Aquatic Sciences
21 life cycle model for delta smelt.

22 Q. The model itself?

23 A. Is -- well, in application to delta smelt that appears in
24 the publication.

25 Q. So you've used as an input variable the summer townet

1 survey?

2 A. Yes.

3 Q. Have you also used a summer abundance variable created by
4 Mr. B.J. Miller?

5 A. No.

6 Q. Nor one by Dr. Brian Manly?

7 A. By Dr. Manly, we used the indices in a report of his for
8 the variance estimates associated with the summer townet
9 survey. The townet survey itself is the same one that appears
10 online by the Fish & Game.

11 Q. Does Dr. Manly's variable make use of the summer townet
12 survey?

13 A. Yes.

14 Q. And what does it then do to the summer townet survey data?

15 A. Well, what -- the thing that we use from what Dr. Manly
16 had done was an estimate of the variability in the survey. So
17 he had this quantity that we call the variance estimates. And
18 he produced variance estimates for each year of the summer
19 townet survey from 1970 through 2006. So we use his variance
20 estimates.

21 Q. Are you aware that Dr. Miller has also testified on behalf
22 of the plaintiffs in this matter?

23 A. Yes.

24 Q. So given what you just described for us with respect to
25 Dr. Manly's work with the summer abundance variable, would you

1 say that the variable is something other than the raw summer
2 townet survey data?

3 A. No, he uses the raw summer townet data to construct his
4 variance estimates.

5 Q. Okay. So the summer abundance variable that's used in
6 your model is the raw summer townet survey data?

7 A. It's the -- it's the summer townet survey index which
8 appears right on their website.

9 Q. Thank you, doctor.

10 Did Mr. Miller and Mr. Manly provide one of the input
11 variables for delta smelt prey in your life cycle model?

12 A. Yes.

13 Q. And has that variable or the related materials, has that
14 been published, to your knowledge?

15 A. I believe that their article that describes this data is
16 in a paper that is in press. So it's been accepted for
17 publication. But to my knowledge, you can't go online yet and
18 get reprints of the article.

19 Q. Have you, yourself, reviewed the raw data that's been used
20 by Dr. Manly and Mr. Miller in that -- in that article?

21 A. By "raw data," do you mean station-by-station or
22 something?

23 Q. I suppose so.

24 A. No, I haven't looked at station by station.

25 Q. Let's take a look now at your reply declaration. We've

1 got it marked here as Exhibit 510.

2 I'm going to go ahead and put it up here on the
3 screen while Mr. Williams is handing it out.

4 I thought this thing had a zoom function. Apparently
5 it's not working, but I hope that's legible to everyone.

6 THE CLERK: You have to press it down hard.

7 MR. EDDY: Hard. So you do.

8 Q. Let's take a look at paragraph 20, if you would, please,
9 sir.

10 A. Yes.

11 Q. In that paragraph -- well, first of all, let me ask you:
12 Does this appear to be the declaration that you filed in this
13 case?

14 A. Yes.

15 Q. And I think this has also been moved into evidence by the
16 plaintiffs, but I --

17 MR. GONZALEZ: Yes, it was.

18 MR. EDDY: I don't have the exact number, but here it
19 is.

20 THE COURT: Let's only have one number if it's both
21 designated by plaintiff and defendant.

22 MR. GONZALEZ: It is Exhibit 5.

23 THE COURT: 5? Any objection to it going into
24 evidence?

25 MR. GONZALEZ: Well, it's already in evidence.

1 THE COURT: It's already in.

2 MR. GONZALEZ: It's in evidence as Exhibit 5.

3 THE COURT: All right. So we'll refer to it as
4 Exhibit 5.

5 MR. EDDY: Thank you, Your Honor.

6 Q. In that paragraph, doctor, you state that you relied on
7 data sets developed by other scientists; is that right?

8 A. Yes.

9 Q. And you also state in that paragraph that you cannot
10 account for every detail of every covariate provided by these
11 other scientists; is that correct?

12 A. That's correct.

13 Q. And that includes the prey variable provided by Mr. B.J.
14 Miller and Dr. Manly; does it not?

15 A. Yes.

16 Q. So you accepted this set of unpublished data without
17 yourself looking at it or investigating the validity of the
18 data set itself; is that right?

19 A. That's correct.

20 Q. Dr. Deriso, for the water temperature input variable in
21 your life cycle model, that's actually an air temperature
22 variable; isn't it?

23 A. For some years. The water temperature measurements began
24 after they started with the 20 millimeter survey. So prior to
25 that, they needed a proxy, so based on -- as I read the Miller

1 et al. paper, what they say in the Miller et al. paper is that
2 they relied on the correlation between the water temperature
3 and the air temperature from the years in which they had
4 measurements to develop an estimation algorithm to apply to
5 the earlier years.

6 Q. So based on your understanding of Mr. Miller's work, is
7 there -- is it that air temperature data is used during years
8 for which there is no water temperature data?

9 A. That's my understanding.

10 Q. And do you know what years those are?

11 A. Yeah, that would be prior to 1995.

12 Q. Okay. And have you, yourself, investigated whether it's
13 true that there is no water temperature data publicly
14 available for those years?

15 A. No.

16 Q. All right. Now let's -- I want to put that document back
17 up on the screen, Plaintiffs' Exhibit 5, the reply
18 declaration. And this is that same paragraph again. I'm
19 interested in what's at the bottom of that paragraph. And
20 that's paragraph 20. Are you with me?

21 A. Yeah.

22 Q. You say there that if someone were concerned, quote,
23 "about the use of air temperature rather than water
24 temperature, the covariate could easily be traded out for
25 future analysis."

1 Have I read that correctly, doctor?

2 A. Yes.

3 Q. In preparing this reply declaration, did you make any
4 effort to test that yourself?

5 A. No.

6 Q. Even though it could be done, in your own words there,
7 easily?

8 A. Yes.

9 Q. In your declarations filed this summer, doctor, you
10 critique the work of Mr. Frederick Feyrer; do you not?

11 A. Yes.

12 Q. Is your criticism of Mr. Feyrer's work limited to his 2011
13 published paper or does it also go to his 2007 published
14 paper?

15 A. I had previously testified regarding his 2007 publication,
16 so I didn't -- did not revisit material that has already been
17 presented before the Court before. And limited myself to
18 things after that.

19 Q. So in preparing this reply declaration, you did not
20 examine the Feyrer 2007 study?

21 A. Oh, yes, I've examined it. In fact, I've testified about
22 it.

23 Q. Okay. And I'm going to hand you -- this may already be in
24 evidence. But what I've got here is the administrative record
25 pagination of the Feyrer et al. 2007 study.

1 I'm being told that it's not yet in evidence. Since
2 this is in the administrative record, we haven't given it an
3 exhibit number. But I have a few copies here. We identified
4 this on our exhibit list as part of the administrative record
5 that we'd be talking about during this hearing. So I'll hand
6 out a few copies here. I've got administrative record pages
7 18266 to 18277.

8 And I regret that I don't have copies for everyone
9 sitting in this room since it's in the administrative record
10 and is a key document, I didn't do that. I think we've
11 already looked and talked about it a lot, but I'll provide you
12 with one and anyone else who needs it.

13 Doctor, does this appear to be the paper that you've
14 referred to in your opinions given in this case as the Feyrer
15 et al. 2007 study?

16 A. Yes.

17 Q. Can you read to us on that page --

18 THE COURT: Well, let's -- before we read it, let's
19 get it marked and received in evidence.

20 MR. EDDY: Okay. I'm happy to assign it an exhibit
21 number. We haven't done that yet, but we'll just give it one
22 on the end of our list here. Let's give this Defendants 586,
23 please, Your Honor.

24 THE COURT: All right. It will be marked Defendant's
25 586 for identification.

1 (Federal Defendants' Exhibit 586 was marked
2 for identification.)

3 BY MR. EDDY:

4 Q. Can you read to us, doctor -- I'm sorry. Just one second.

5 Can you read to us, doctor, on that page the name of
6 the journal in which this paper was published?

7 A. Yes. That is the Canadian Journal of Fisheries & Aquatic
8 Sciences.

9 Q. This is the same journal that recently published your life
10 cycle model; is it not?

11 A. Yes.

12 Q. All right. I'm going to point you now to, in your reply
13 declaration, the very last sentence, please, sir. This is
14 going back to Plaintiffs' Exhibit 5 briefly. It's page 12.

15 Just one second.

16 THE COURT: There doesn't appear to be internal
17 pagination, so you'll have to refer to the -- it starts
18 with -- it looks like 723 in the upper right-hand corner.

19 MR. EDDY: I want to make sure that we're -- oh, yes,
20 that's the Feyrer et al. study, Your Honor. And there are two
21 paginations that you see there. The one on the top is the
22 administrative record page number.

23 THE COURT: All right.

24 MR. EDDY: The one below it is the journal pagination
25 there.

1 Q. And turning back to your reply declaration just briefly,
2 Dr. Deriso, that last sentence there states, does it not,

3 "The real choice here is between the standard
4 scientific process, in which hypotheses are
5 rigorously tested and invalidated if they are
6 unsupported by the evidence, and no science at all."

7 Is that right?

8 A. That's correct.

9 Q. And that sentence comes at the back end of the critique of
10 the work of Mr. Feyrer; does it not?

11 A. Yes.

12 Q. Is it possible that the editors of the Canadian Journal of
13 Fisheries & Aquatic Sciences would accept for publication
14 something that is, in your words there on that page, no
15 science at all?

16 A. No.

17 Q. Then would you like to clarify that statement on page 12
18 of your reply declaration that characterizes Mr. Feyrer's work
19 as no science at all?

20 A. This was with regards to the more recent publications.
21 They're listed in here. We're talking about -- in paragraph
22 28, we're talking about the MacNally et al. publication 2010,
23 the Thomson et al. 2010, the Kimmerer 2009, as well as the
24 work listed on my life cycle model. And it's all
25 overwhelmingly evidence that X2 does not have an effect.

1 Now --

2 Q. So --

3 A. -- the choice between this and those types is all because
4 these are life cycle models. These are the only ones. There
5 is no life cycle model in 2007.

6 Q. Doctor, my question pertains to your characterization of
7 Mr. Feyrer's work as no science at all. And you testified
8 that the Canadian Journal of Fisheries & Aquatic Sciences,
9 which accepted for publication this 2007 paper, would not
10 publish something that was no science at all.

11 A. Yes.

12 Q. So I think I'm still missing an answer to my question
13 about -- or rather, I offered you an opportunity to clarify
14 what you meant by "no science at all."

15 A. That's what I tried to do. By that, I'm referring to life
16 cycle models. Okay? "There's no science at all" means if you
17 discard the MacNally et al., the Thomson et al., and my life
18 cycle, the one that Maunder and I did, then you're basically
19 throwing out all the life cycle models. There is no life
20 cycle model in the Feyrer papers. None of them.

21 Q. So it --

22 A. Except for the -- the 2008 manuscript had one in there,
23 but then they took it out.

24 Q. So it's your opinion, doctor, that anything short of a
25 life cycle model is no science at all?

1 A. It -- with regards to effect of this X2 on the population
2 growth rate, yes.

3 Q. Thank you, doctor. One last question: In your life cycle
4 model, did you use the raw published Department of Fish & Game
5 abundance index values?

6 A. Yes.

7 MR. EDDY: Thank you. Nothing further from me, Your
8 Honor.

9 THE COURT: Ms. Poole.

10 MS. POOLE: Thank you, Your Honor.

11 THE COURT: You may proceed.

12 CROSS-EXAMINATION

13 BY MS. POOLE:

14 Q. Good morning, Dr. Deriso.

15 A. Good morning.

16 Q. My name is Kate Poole, I'm an attorney for the defendant
17 intervenors in this proceeding.

18 Now, I'd like to talk some more about your life cycle
19 model, which has been attached as Exhibit A to Plaintiffs' 3.
20 That's your June declaration in this case; is that right?

21 A. Yes.

22 Q. Now, I've put the title of that paper up on the Elmo. Do
23 you see that?

24 A. I'm missing the title. I've got the authors' names.

25 Q. Oops, you're right. I didn't center it well. There you

1 go.

2 A. Yes, that's the title.

3 Q. Now, as this title indicates, this model you've developed
4 is a generic life cycle methodology that you've merely
5 illustrated in the paper by application to delta smelt; is
6 that right?

7 A. That's what the title says.

8 Q. And is that how you view your model in this paper?

9 A. The model in the paper is fairly customized to a life
10 history of delta smelt.

11 Q. Well, the meat of your model, as it's described in this
12 paper, is described beginning at page 6; is that right? Or if
13 you look at the top. I think that the version they've
14 submitted is not paginated. If you look at the top, it says
15 page 7 of 48 of Document 922-1.

16 A. Which -- which exhibit is this?

17 Q. This is Plaintiffs' 3.

18 A. 3. Got it. Plaintiffs' 3. Which pages?

19 Q. Document 922-1, which is your paper.

20 A. I got it.

21 Q. Beginning at the top, it says "Page 7 of 48."

22 A. 7. Okay. The abstract. Okay.

23 Q. Yeah. And you see there, the -- it says, "Model,"
24 beginning at the top of that page; right?

25 A. Yes.

1 Q. And then the description of your model continues through
2 page -- up to the top of page 18 of 48, where there's a new
3 heading called "impact analysis." Right?

4 A. It looks like it's all through -- I think you've got the
5 right pages.

6 Q. And where in that description of the model is there any
7 discussion of delta smelt or delta smelt biology?

8 A. Not in that section, no.

9 Q. So the model itself is not -- is more generic, it's not
10 actually designed for delta smelt?

11 A. It could be used for other species that share a life
12 history like delta smelt. But it is set up, as it shows in
13 the equations -- and if you look at these equations, you can
14 see that it's tailored to an annual species and it's tailored
15 to an annual species in which you have measurements at
16 multiple times of the year.

17 So it's not a generic -- it wouldn't work for striped
18 bass, for example, in its current form. We'd have to modify
19 it if we wanted to apply it to something like striped bass. I
20 couldn't use it for tuna. I'd have to modify it if I wanted
21 to use it because it doesn't handle age structure.

22 It's -- right now it's just it's an annual species and that's
23 the way the equations are described.

24 Q. So the only characteristics of the model that are specific
25 to delta smelt biology are related to the fact that it's for

1 an annual species with various abundance measurements during
2 the year.

3 A. Yes.

4 Q. Thank you. Does your model test specific management
5 actions for smelt?

6 A. No. I mean, they're not in the publication.

7 Q. Now, let's turn to what's been marked page 19 of 48 of
8 that same document, your manuscript. Do you have that?

9 A. Yes.

10 Q. Okay. I've put it up here too. Now, if you could please
11 read me the two lines beginning on page -- on line 393.

12 A. "These factors are used for illustrative purposes only and
13 they may differ in a more rigorous investigation of
14 the factors influencing delta smelt."

15 Q. Thank you. And when you make that statement that these
16 factors are for illustrative purposes only and may differ in a
17 more rigorous investigation, that indicates that there may be
18 more appropriate input values for delta smelt than the ones
19 you've chosen; correct?

20 A. May be.

21 Q. And if you used different input factors for your model,
22 the output or the result would change; right?

23 A. They could.

24 Q. All right. Let's look at the input data that you used.
25 If you could turn, again, in Plaintiffs' 3 to the next

1 section, which is titled at the top Document 922-2. These are
2 your supplementary materials. And page 25 of 49 is what I'd
3 like you to look at.

4 A. Oh, 1972. That's off.

5 Q. Do you see that?

6 A. Yeah.

7 Q. Now, if you turn to the end of that table, the fall
8 midwater trawl data that you've used, actually all of the
9 abundance indices --

10 A. Uh-huh.

11 Q. -- end at 2006; is that right?

12 A. Yes.

13 Q. And fall midwater trawl data is available through 2010;
14 correct?

15 A. Yes.

16 Q. And do the more recent years, like 2007 through 2010,
17 reflect historical lows in the fall midwater trawl abundance?

18 A. Yes.

19 Q. Why didn't you use that more recent data in your analysis?

20 A. These are the only years for which Manly provides the
21 variance estimates. So it -- as you can see -- well, I've got
22 them listed as standard errors there. "SE." So the standard
23 errors are only produced for 2006.

24 The environmental covariates, which begin in the next
25 table in S2, only go through 2006. So we just use the data

1 that they had -- that Manly had in his report.

2 Q. Did you ask Manly and his associates to provide the data
3 through 2010?

4 A. No.

5 Q. And by using data only through 2006, you've excluded
6 almost half of the Pelagic Organism Decline years; right?

7 A. Depends on what you define as the starting date. But if
8 we take 2001 or thereabouts, maybe 2002, to be the starting
9 date of the Pelagic Organism Decline, then yes, that would be
10 about half of the decline.

11 Q. And does the lack of data during those years affect how
12 well your model might reflect the current condition of delta
13 smelt since the Pelagic Organism Decline?

14 A. I haven't done the application to know the answer.

15 Q. Thank you. Now, let's look at some of the input variables
16 that you've used. Now, that summer townet data provided by
17 the Department of Fish & Game has previously been provided to
18 this Court by the federal defendants and I've put up on the
19 Elmo a version of that.

20 Now, if you look at the year 1972, for example, you
21 see the summer townet survey number is 11.1; correct?

22 A. Yes.

23 Q. And now, if we look at the value you've used on page 25 of
24 49 in document 922-2, you have a summer townet value in 1972
25 of 20,005; is that right?

1 A. Yeah.

2 Q. And now, in 1973, Department of Fish & Game reports a
3 summer townet value of 21.3. Almost double. And you've used
4 a summer townet value of 11,185, or almost half of the '72
5 value; is that right?

6 A. Yeah.

7 Q. And why are the numbers you've used so vastly different
8 from those reported by Fish & Game?

9 A. We used the ones that are in the Manly report and that are
10 now in the paper that's in press. And my recollection is that
11 Manly went through and did some regional weighting volumes of
12 water and some other things that he did to it that -- but it
13 was all started with the same raw data that this STN index
14 started with.

15 Q. Are you aware whether the data used in the delta smelt
16 life cycle models prepared by Thomson and MacNally are
17 publicly available?

18 A. Yes.

19 Q. Those data sets are publicly available?

20 A. Yes.

21 Q. But you chose not to use those?

22 A. No.

23 Q. Why did you make that choice?

24 A. I thought that the data that was in the Manly report was a
25 sensible data set to illustrate the application of this

1 particular assessment model.

2 Q. Do you know whether Dr. Manly and his associates deleted
3 data from the publicly available data set?

4 A. I wouldn't know the answer, no.

5 Q. Well, one of the reports that you cite in your life cycle
6 model is this Nations report. This is one of Dr. Manly's
7 associates; right?

8 A. Yes.

9 Q. And does this look like the Nations 2007 document that you
10 cite in your paper?

11 A. Yes.

12 Q. Now, if you look down here at his -- at this highlighted
13 sentence, does it state that they have deleted several
14 observations from the 20 millimeter survey data?

15 A. Yes.

16 Q. And does it also say that they removed certain stations
17 that had been infrequently sampled?

18 A. Yes.

19 Q. Thank you. And the published version of your model
20 doesn't include this underlying data provided by Mr. Manly and
21 his associates; does it?

22 A. The raw station by station? No.

23 Q. I mean the data that they provided you.

24 A. The data that's listed in the supplementary material is
25 data that was in the Manly report.

1 Q. Right. But how they derived that data, I suppose, I
2 should --

3 A. No, the derivation, they would have to go to the Miller et
4 al. paper that's in press.

5 Q. Okay. And the supplementary materials that you provided
6 in document 922-2, is that part of your published manuscript?

7 A. Yes. The Canadian Journal does things so that you have
8 online, and also in the journal, the main body of paper. But
9 then there's extra supplementary material which appears on
10 their website.

11 And so if you go to that web link that's listed there
12 for my online version, you can see that there's a link there
13 for the supplementary material, which is also on the web. So
14 it is published, but only on the internet.

15 Q. Thank you. Now, let's look at the entrainment data that
16 you used in your model. How did you define "entrainment" as
17 you've used it here?

18 A. Entrainment is the mortality rate that occurs from
19 entrainment.

20 Q. Is that equivalent to the salvage numbers reported by the
21 projects?

22 A. No, it's not.

23 Q. And the entrainment data is -- that you've relied on is
24 also that provided by Manly and his associates?

25 A. No, it's not.

1 Q. What is it?

2 A. As explained in the publication, what we did is we used
3 the Kimmerer 2008 publication. He has published estimates of
4 entrainment for both the juveniles and the adults. He does
5 these entrainment estimates only for a period of time, like
6 for the juveniles, it only goes back to 1995. And the adults,
7 I don't know, maybe it's to '95. I can't quite recall the
8 starting date for the adults.

9 And so we took Kimmerer's entrainment estimates for
10 the adults and juveniles and used them in the years for which
11 Kimmerer provided estimates.

12 For years prior to those provided by Kimmerer, one
13 thing that I did, two separate pieces on analysis. One is I
14 followed the approach that was done in the BiOp. They found
15 that a multiple linear regression using spring OMR flow and
16 spring X2 were good predictors of his juvenile entrainment
17 estimates. So I used those -- that regression equation to
18 fill in juvenile entrainment estimates for years prior to
19 1995.

20 For the adult entrainment, what I saw when I
21 displayed it graphically is that his adult entrainment
22 estimates portray pretty much what we had called a hockey
23 stick model, if you will, in my previous testimony. That is,
24 that the entrainment rate for adults is not related to OMR
25 flows until you get to sufficiently negative numbers. In

1 Kimmerer's work, the number was minus 5,000 something.

2 But anyway, I did a piece wise linear model using the
3 winter average OMR flow to his adult entrainment rates. It's
4 got a very good fit. And I used that regression equation then
5 to fill in for the missing data prior to the years in which
6 Kimmerer provided estimates.

7 Q. So you are testifying that you did that yourself. You did
8 not rely on Manly and his associates entrainment variables.

9 A. That's correct.

10 Q. And did I understand you correctly that you took
11 Kimmerer's estimates directly, without manipulation, for the
12 years 1995 onward? Or did you manipulate that data?

13 A. No, I didn't manipulate them.

14 Q. Thank you.

15 A. I mean, there is a transformation of the covariates done
16 for the application. But they can be untransformed to get
17 back to the original estimates. So it gets fairly technical.
18 If you want to go there, we can.

19 Q. My question, I think, is fairly simple. Did you take
20 Kimmerer's estimates of entrainment from 1995 onward --

21 A. Yes.

22 Q. -- directly from his paper and use them as input
23 variables?

24 A. Yes.

25 Q. You did not modify them before you input them into your

1 model?

2 A. I did transform them when I input them into the model, to
3 get them into a form which is consistent with the mathematical
4 framework that I was working with. But I didn't -- I didn't
5 change them, other than to do a transformation to them.

6 Q. Okay. Now, in your life cycle model report, you state
7 that "Manly 2010b provided several variables as candidates to
8 account for the changes in delta smelt abundance from fall to
9 summer and summer to fall." And then you go on to state that
10 "The factors proposed by Manly 2010b are those that are
11 considered to act directly on delta smelt."

12 Now, who exactly is it that considers those factors
13 to act directly on delta smelt?

14 A. Doctors Miller, Manly and Fullerton and whoever else is on
15 that publication.

16 Q. And are Doctors Manly, Miller or Fullerton delta smelt
17 biologists?

18 A. Yes.

19 Q. They are?

20 A. Manly is a statistician. Dr. Miller, I don't know,
21 I -- maybe he's more of an engineer. Dr. Fullerton, he's more
22 of a biologist.

23 Q. Would it surprise you to learn that Mr. Miller and Mr.
24 Fullerton are both engineers?

25 A. No. I didn't know that.

1 Q. Now, I'd like to ask if my colleague may approach and
2 distribute what's been marked as Federal Defendants' 544.

3 You have that before you, Dr. Deriso?

4 A. Yes.

5 Q. And does this look familiar?

6 A. Vaguely.

7 Q. This is, in fact, a copy of your declaration filed in this
8 case on February 18th; is that right?

9 A. Yes.

10 Q. Now, if we -- well, let's back up for a second.

11 If we look at Attachment A to this document, which
12 begins on page 34, is that the Miller and Manly paper that
13 you're referring to as "in press"?

14 A. It looks like an earlier draft version that has a lot of
15 the same stuff. It obviously has gone through -- well, I
16 don't know, these might actually be exactly like it. It looks
17 very similar to it. It doesn't have the -- it doesn't have
18 the data tables, though, that the publication has.

19 Q. But you haven't provided any more recent version of this
20 paper to the Court; have you?

21 A. No, I think -- I don't think it was attached to anything
22 that I can recall. Maybe someone else can recall it, but I
23 surely -- I can't recall whether it was attached to anything
24 or not.

25 Q. Well, let's assume not for now. And if you go back and

1 check, you can modify your answer.

2 A. Yeah.

3 Q. Could you turn to page 47 of this document, please.

4 A. Okay.

5 Q. So this page describes factors with indirect effect on
6 delta smelt, according to Miller and Manly and his associates;
7 right?

8 A. That's the title.

9 Q. And if you look down at the second bullet, X2 is listed
10 there; correct?

11 A. Yes.

12 Q. So these authors have determined from the start that X2 is
13 not a variable with direct effect on delta smelt; is that
14 right?

15 A. That's what it looks like.

16 Q. And the covariates that you've used in your paper are
17 those that are considered to act directly on delta smelt;
18 right?

19 A. Yes.

20 Q. So you didn't include any of these --

21 A. No.

22 Q. -- indirect factors?

23 A. I don't think so.

24 Q. Thank you. Now, you previously testified that you were
25 familiar with the peer review of the biological opinion

1 prepared pursuant to the Information Quality Act.

2 Do you recall that that independent peer review found
3 that the panel strongly concurs with the USFWS's use of X2 as
4 an index for identifying delta smelt abiotic habitat?

5 A. I don't recall that.

6 Q. Do you recall that the peer review panel found that the X2
7 index is extremely well supported and scientifically valid and
8 that few ecological indices are as robust and well studied as
9 X2?

10 A. I don't recall.

11 Q. And do you agree with the peer reviewer's conclusion that
12 it supports the assertion that hydrologic events and actions
13 that alter the X2 location directly impact suitable delta
14 smelt abiotic habitat?

15 A. No.

16 Q. And the choice of inputs for your model assumes from the
17 start that X2 does not have a direct impact on smelt; right?

18 A. We used the factors that were in the Manly report on
19 direct effect. Used the whole set of them. And it did not
20 include X2.

21 Q. Thank you. And are you familiar with the State Water
22 Resources Control Board report completed in August 2010 in
23 which the State Board concluded that flows were an important
24 factor acting directly on delta smelt abundance?

25 A. No.

1 Q. Let me just read you one excerpt of that report and you
2 can tell me whether you agree or disagree with it.

3 Quote, "The weight of the circumstantial evidence
4 summarized above strongly suggests flow stabilization
5 harms native species and encourages non-native
6 species, possibly in synergy with other stressors,
7 such as nutrient loading, contaminants and food
8 limitation," end quote.

9 Would you agree with that assessment?

10 A. I didn't really see the -- is that a complete sentence?
11 It sounded like you stopped halfway through the sentence.

12 Q. Would you like me to read it again?

13 A. Yeah, because I didn't get where the verb was and the --

14 Q. Okay. "The weight of the circumstantial evidence
15 summarized above strongly suggests flow stabilization
16 harms native species and encourages non-native
17 species, possibly in synergy with other stressors,
18 such as nutrient loading, contaminants and food
19 limitation."

20 A. I -- I don't have an opinion on that, on that statement.

21 Q. Okay. And your life cycle model does not consider
22 salinity as a factor directly acting on delta smelt; does it?

23 A. Well, you saw the X2 analysis.

24 Q. I'm talking about your life cycle model, not your
25 subsequent analysis.

1 A. Life cycle model was used to test X2, so, I mean, it was
2 one of the covariates that we subsequently tested
3 after -- outside of the publication.

4 Q. Right. Okay. But let's -- all right. Let's separate
5 out. The model that you've published does not include
6 salinity as a variable that acts directly on delta smelt;
7 correct?

8 A. Maybe it's just a little confusing. The model is a
9 mathematical framework for a life cycle model. It can
10 accommodate multiple environmental factors, including X2.
11 It's just a matter of whether or not you put that in the
12 environmental data set in the application that you're doing
13 with this model.

14 So no model contains the environmental data. They
15 are added to or applied to the model.

16 Q. Let's -- let's look at Table S2, which is the supplemental
17 material associated with your model. This identifies the
18 covariates that you've tested in your model, is that right?

19 A. That was in the -- in the publication, those were the ones
20 that were examined, yes.

21 Q. And none of these covariates is a salinity factor; is that
22 right?

23 A. That's correct.

24 Q. Thank you. And now, are you aware of the published paper
25 by Sommer et al. in 2010 that found that the distribution of

1 delta smelt was strongly associated with the position of fall
2 X2 in the estuary?

3 A. No.

4 Q. Now, let's look at the prey density inputs that you used.
5 Is it your understanding that one of the purposes of the fall
6 X2 action is to modify the spatial distribution of delta smelt
7 so that the population has access to highly productive regions
8 of the estuary where food is more abundant?

9 A. I don't believe I've seen those words. But that's sort of
10 the general impression I get.

11 Q. That is the general impression you get?

12 A. It's to modify the habitat. I don't -- I don't recall
13 anyone writing about the food.

14 Q. Well, let me represent to you that that is one of the
15 purposes, and it's, in fact, been described in the federal
16 defendants' declarations here.

17 Now, do you know where those regions of the estuary
18 that are highly productive, where food would be more abundant,
19 are?

20 A. If -- I know it varies. And I don't know particularly
21 where the regions are right now. I mean, there is variability
22 in where the prey has been available.

23 Q. Do you know, generally, over the course of history where
24 productive regions of the estuary, in terms of food sources
25 for delta smelt, tend to be in the fall?

1 A. No.

2 Q. Now, the peer reviewers of this year's proposed fall X2
3 action have explained that, quote, "Native and non-native
4 zooplankton abundances are known to be enhanced in the
5 western portion of the Delta during the fall. These
6 zooplankton populations likely contribute to the
7 predicted enhancement of the health and condition of
8 delta smelt in this zone." End quote.

9 Do you agree with that statement?

10 A. I don't know.

11 Q. Meaning you have no basis to judge it?

12 A. I haven't seen the data.

13 Q. And the prey density inputs that you've used in your model
14 don't actually consider prey density in the fall; do they?

15 A. No, there were -- there was not a prey -- in the Manly
16 report, I don't think they had a prey variable for the fall.

17 Q. In fact, those prey variables only go to August at the
18 latest; is that right?

19 A. Yes. That's my recollection as well.

20 Q. And in fact, if you turn to page 41 of what's been marked
21 Federal Defendants' 544. This is the Miller and Manly report.
22 Let's make sure I can find this.

23 Yeah, if you look at line 1 there, they explain that
24 "having no reports of food limitation in September to December
25 or January to March, we did not choose those factors for the

1 initial analyses."

2 Do you see that?

3 A. No, I don't. Which page are you on?

4 Q. I'm on what's marked at the top as page 41 of 51.

5 A. 41. Okay. And --

6 Q. And if you actually turn back a couple of pages, actually
7 turn back to page 36, you'll see that they're talking about
8 prey density.

9 A. There's prey density. I found that.

10 Q. Uh-huh. And then that paragraph concludes with the
11 sentence that begins on line 1 of page 41.

12 A. Oh, yes. I see that.

13 Q. Would you expect that your model would find food
14 limitations for smelt in the fall when your inputs explicitly
15 assumed that there were no food limitations in the fall?

16 A. I just used that data set that was in the Manly report.

17 Q. Okay. I understand that. But that's not my question.

18 Would you expect that your model would find food
19 limitations for smelt in the fall when your inputs explicitly
20 assumed that there were no food limits in the fall?

21 A. The model is quite capable of testing the variety of
22 environmental factors. When I've given presentations to other
23 groups about this life cycle model, those groups, for example,
24 that are associated with the BDCP process and biologists, you
25 know, from the California agencies, I've emphasized that we're

1 certainly open to taking a set of environmental factors that
2 someone wants to -- us to apply and apply it to that set of
3 environmental factors. If someone constructs a set of prey
4 density variables in the fall, I'll be more than happy to
5 apply that to the model and see whether or not the covariates
6 are important factors.

7 Q. I appreciate that, Dr. Deriso, but for purposes of sitting
8 here today, you haven't actually done that analysis?

9 A. No, I haven't done that analysis.

10 Q. And so, having not done that analysis, your model didn't
11 test the statistical influence of prey density limits in the
12 fall; is that right?

13 A. No, it hasn't.

14 Q. Thank you. Do you understand another one of the purposes
15 of fall X2 is to help rearing smelt avoid predation by
16 allowing them to access more turbid waters?

17 A. I understand turbidity is important. I don't know if what
18 you're talking about is one of the functions of their action.

19 Q. Let me represent to you -- excuse me -- that one of the
20 federal defendants' declarations in this case does explain
21 that fall X2 is designed to help rearing smelt avoid predation
22 by allowing them to access the more turbid waters downstream.

23 And the agency plan for implementing this year's fall
24 X2 states that "Turbidity at X2 is higher when X2 overlaps
25 Suisun Bay than when it's in the river channels east of the

1 Sacramento San Joaquin confluence."

2 Do you agree with that assessment?

3 A. I -- I have no opinion.

4 Q. And that plan also states that "higher turbidity is
5 expected to reduce predation rates on delta smelt." Would you
6 agree with that?

7 A. Certainly I found myself -- in fact, I think the
8 declaration that you had from February for me, certainly
9 original one, showed that turbidity was a very important
10 factor in predicting the salvage rate of adult delta smelt.
11 So, yeah, turbidity is important.

12 Q. Thank you. And your model did test the effect of
13 predation in the fall, between September and December;
14 correct?

15 A. Yeah, there is a predation index there.

16 Q. And you found that predation is a significant factor in
17 smelt abundance?

18 A. Yes, that was one of the factors. It has to -- to
19 elaborate just a little bit. It's not just a pure predation
20 index, it's predation times Secchi depth or water
21 transparency, related to turbidity. Again, the theory being
22 that the predation risk is higher when the water is -- has
23 higher clarity. So that's why it's treated as an interaction
24 term when it's input into the analysis.

25 Q. Okay. Well, let's look at your June -- I believe it's

1 your June declaration. Yes. Plaintiffs' Exhibit 3. Document
2 922-3, which is your fall X2 analysis.

3 Do you have that?

4 A. Yes.

5 Q. Now, did this fall X2 analysis account for the fact that
6 the fall X2 action would allow smelt to access more turbid
7 waters?

8 A. That would be an implication if, in fact, turbidity was
9 related to the X2 location, then by testing the X2 factor
10 itself, you're testing all the implications of that as well,
11 including if it has an effect on turbidity and, therefore, on
12 predation.

13 Q. And you've just testified that Secchi depth is the factor
14 that measures turbidity; is that right?

15 A. It can be used to measure turbidity.

16 Q. But if you look at the list of factors that you've used in
17 your fall X2 analysis, on page 2 of document 922-3, there's no
18 Secchi depth factor in there; is there?

19 A. Not in this document, no.

20 Q. So you didn't include that in your fall X2 analysis?

21 A. No.

22 Q. Your model finds strong evidence for density dependence in
23 survival from juveniles to adults; correct?

24 A. Correct.

25 MS. POOLE: Your Honor, I'm about to launch into a

1 new area here. Would this be a good time to break for lunch?

2 THE COURT: Yes. Let's take the noon recess. We
3 will stand in recess until 1:30 p.m.

4 MS. POOLE: Thank you.

5 (Lunch Recess.)

6 THE COURT: We're going back on the record in the
7 consolidated delta smelt cases.

8 Ms. Poole, you may resume cross-examination of Dr.
9 Deriso.

10 MS. POOLE: Thank you, Your Honor.

11 Q. Good afternoon, Dr. Deriso.

12 A. Good afternoon.

13 Q. Before we broke for lunch, I started to get into density
14 dependence with you. And do you recall I asked whether your
15 model finds strong evidence for density dependence in survival
16 from juveniles to adults?

17 A. Yes.

18 Q. And let's look at the basis of that finding. In
19 Plaintiffs' 3, if you could turn to page 18 of 49 of
20 document -- document number 922-2.

21 I have it up on the Elmo, too, if that helps you.

22 A. 49, you say?

23 Q. Page 18 of 49.

24 A. Oh, 18 of 49.

25 Q. Across the top.

1 A. Okay. Yes, I have it.

2 Q. Now, this middle figure on this page marked as (b) shows
3 the juvenile density dependence; correct?

4 A. I've got the wrong document.

5 Q. This is from your model --

6 A. I see. 14 of -- 18 -- yes, I have it now.

7 Q. And that middle figure marked as (b), does that show the
8 juvenile density dependence relationship?

9 A. Yes, it does.

10 Q. That relationship essentially being driven by these three
11 data points in the lower right; correct?

12 A. There are four Xs near where you pointed. And then
13 there's two more Xs on the descending limb just slightly above
14 one. So I would count six Xs on the descending limb there.

15 Q. Perhaps you could tell us the difference between the
16 circles and the Xs on this figure?

17 A. The points are the model estimates of abundance, the lines
18 are the estimates from the stock recruitment models without
19 the covariates or process variation. The crosses are
20 estimates without the covariates.

21 Q. So is it the circles or the Xs that you're looking at to
22 determine the density dependence relationship?

23 A. The model is using the Xs and it's fitting. Because
24 the -- the differences between the Xs and the circles is the
25 estimated process variability. So accounting for the process

1 variability by looking at the Xs, you get more of what the
2 underlying relationship is.

3 Q. And the circles represent more of the actual data?

4 A. Yeah, that's just the raw data.

5 Q. Well, let's focus on the circles. Now, it looks to me
6 like there are essentially three circles that are really
7 bringing the line down and to the right, is that correct?

8 A. No, the line is being fit to the Xs.

9 Q. Okay. Then let's look at those four Xs in that same area
10 here. Those four seem to be driving the slope of the line in
11 that lower right hand area; is that right?

12 A. No. The additional two Xs up there of a juvenile
13 abundance of around 1,000 are also sitting smack on the line.
14 On the declining limb of the line.

15 Q. Okay. Let's ignore these four Xs. Okay? Then the slope
16 of the line could go up in this direction and be centered
17 among those Xs; is that right? The remaining Xs.

18 A. I don't know.

19 Q. Can you take a guess?

20 MR. GONZALEZ: Well, Your Honor, I would -- I've been
21 trying not to object, but I don't want any guessing. I don't
22 know if that's helpful.

23 THE COURT: Give us your best estimate, please.

24 THE WITNESS: My best estimate is that there is not a
25 clear declining limb to it. It might be that a Beverton-Holt

1 stock recruitment model might provide a better fit. That's
2 one in which it goes into an asymptote and so there is no
3 relationship between the juveniles and the subsequent adults
4 once you get past a certain density level.

5 BY MS. POOLE:

6 Q. And is that also known as density independent?

7 A. No, that would be a density dependent relationship as
8 well.

9 Q. Thank you. Now, looking back at the circles, those three
10 data points. The text of your paper states that those three
11 data points in the lower right are from 1976 to 1978; right?

12 A. Yes.

13 Q. And your model also states that the density dependence in
14 survival from juveniles to adults found in our study was
15 probably heavily influenced by those three consecutive years
16 of data; is that right?

17 A. Yes.

18 Q. And those data are from starting 35 years ago; right?

19 A. That's correct.

20 Q. And do you think some conditions in the estuary and the
21 delta smelt population have changed in the last 35 years to
22 affect that relationship?

23 A. Not necessarily, no.

24 Q. Are you aware that the biological assessment prepared for
25 the BiOp in this case, with the help of several of the

1 plaintiffs, found that summer to fall survival data since
2 2000, quote, "strongly suggests that recent population trends
3 are outside the historical realm of variability and resilience
4 shown by these species, particularly delta smelt"?

5 A. I don't recall that statement.

6 Q. Are you aware that delta smelt life history model prepared
7 by Nobriga and Herbold concludes that delta smelt have not
8 exhibited delta smelt dependence in the juvenile stage since
9 2001?

10 A. I've heard that.

11 Q. So you agree that the juvenile to adult stage of delta
12 smelt is currently density independent?

13 A. Yes. Yes, it shows right on that figure that we were
14 looking at.

15 Q. And was your model developed, quote, "to evaluate
16 population impacts in the presence of density dependence,"
17 unquote?

18 A. Yes.

19 Q. And the assumptions you make about density dependence
20 change the result of your model; correct?

21 A. The model results differ depending on which form of stock
22 recruitment curve you use.

23 Q. If the delta smelt population is currently density
24 independent, then losses at any life stage should translate
25 proportionately to losses from the adult population; right?

1 A. Yes.

2 Q. So in other words, if we lose, hypothetically, ten percent
3 of the juveniles to entrainment, a density independent adult
4 population would be ten percent lower than it would be without
5 those juvenile losses; right?

6 A. Yes.

7 Q. Now, let's look a little more closely at the conclusions
8 of your paper. You state, on page 26 of this version of your
9 manuscript, that "entrainment is estimated to have only a
10 small impact on the adult abundance"; correct?

11 A. That's correct.

12 Q. But when you applied your life cycle model to delta smelt,
13 the actual model results showed that juvenile entrainment have
14 large impacts on delta smelt survival; right?

15 A. Depended on which of the models you're referring to.

16 Q. Well --

17 A. What do you mean by "large"?

18 Q. Let's look at the table that shows those results. Give me
19 just a minute here so I can locate it.

20 Well, let's come back to that, I don't want to take
21 up the Court's time.

22 On page 30 of your model, you state that the large
23 modeled impact of the Delta entrainment -- or you look at the
24 large modeled impact and state that, quote, "the coefficient
25 for adult entrainment is also unrealistically large suggesting

1 that the model including water clarity and adult entrainment
2 is unreliable." Right?

3 A. Yes.

4 Q. So is the model unreliable or is its large -- it's large
5 predicted impact from adult entrainment accurate?

6 A. The statement is as you've just read. It's this large
7 correlation that we're seeing with the two factors that we
8 think is the reason why the coefficient on the adult
9 entrainment was unrealistically large.

10 Q. So if you have determined that the modeled results for
11 adult entrainment are unrealistically large, what methodology
12 did you employ to determine that the effect of adult
13 entrainment is small?

14 A. Yes. We had a comparison between that particular model
15 fit that you're referring to and an alternative model that was
16 within two Akaike units of that model. And therefore, it was
17 a valid alternative model. The valid alternative model did
18 not include entrainment in it. Or other factors. So it had a
19 fewer number of covariates, yet explained about the same
20 amount of variation as the one with the unrealistic
21 entrainment coefficient.

22 Q. So the model you ultimately employed and that you're using
23 to test fall X2 here just doesn't include entrainment?

24 A. That's correct. I believe that's the alternative model
25 that was used.

1 Q. And state on page 31 that, following -- "naively following
2 model selection without use of professional judgment is not
3 recommended." Is that right?

4 A. Yes.

5 Q. And remind us, you're not a delta smelt biologist;
6 correct?

7 A. That's correct.

8 Q. Is Dr. Maunder a delta smelt biologist?

9 A. No.

10 Q. And haven't you used a lot of professional judgment in
11 your modeling exercise here, in your selection and
12 manipulation of inputs, your assumptions regarding density
13 dependence and your exclusion of certain results that don't
14 meet your expectations?

15 A. No.

16 Q. How have you not exercised professional judgment in those
17 three areas?

18 A. The environmental covariates was a complete set of the
19 direct effect covariates that are presented in the Manly
20 report.

21 Q. But you selected to use those data inputs rather than
22 other publicly available ones?

23 A. Yes. And for -- to illustrate the application, as it says
24 in the manuscript, that they have chosen to demonstrate the
25 application.

1 Q. Let's just be clear then on the data selection point.
2 That there were alternative data sets that were available,
3 that were publicly available, that other life cycle models
4 used for delta smelt used that you could have chosen to use?

5 A. Yes.

6 Q. Okay.

7 A. And as regards to the density dependence, that was
8 actually a hypothesis of density dependence. It wasn't an
9 assumption. It was a hypothesis that was comparisons that we
10 did with models that did and did not include density
11 dependence. And we went through a model selection process to
12 choose the form of the stock recruitment relationship that was
13 selected by the model selection process.

14 Q. Okay. But on page 29 of your model, you state, quote,
15 "The type of density dependence assumed also impacted what
16 factors were selected," end quote.

17 So there is some professional judgment in that
18 decision; right?

19 A. The assumption is used in the sense there that we chose
20 the one that had the most credibility from the hypothesis
21 standpoint. And then we took that result and then we assumed
22 that that was the correct result to use.

23 Q. Okay.

24 A. But it's a model selection process. I didn't assume it
25 out of professional judgment, I assumed it because it was

1 selected by model selection process. Which is a statistical
2 procedure.

3 Q. And when you've excluded adult entrainment, your model
4 states that that is a decision that you made based on
5 professional judgment; right?

6 A. It was based upon the fact that the alternative models
7 were within two Akaike units of the more complicated model.

8 And I mentioned the reasons why I think some of the
9 coefficients in a complicated model are unrealistic. And the
10 simpler model, which is equally credible, doesn't have that
11 problem.

12 Q. And so you used your professional judgment to choose
13 between those two?

14 A. Results from both of them are shown in the paper.

15 Q. But you used your professional judgment to use the
16 alternative model, as you've characterized it, to test fall
17 X2?

18 A. Yes, that's correct.

19 Q. So of the factors you tested, which did not include flow,
20 you've concluded that food abundance, temperature, predator
21 abundance and density dependence are the most important
22 factors controlling the population dynamics of smelt; right?

23 A. Yes.

24 Q. Now, let's turn to page -- or Table 7 of your model, which
25 is on pages 12 to 13 of that document, 922-2.

1 A. And which page did you want?

2 Q. On pages 12 to 13.

3 A. Is this the document 2-2, this is the --

4 Q. This is your manuscript.

5 A. Yeah. The pagination that I have, if I'm using the
6 pagination that's listed at the top, has a page 12 is -- is a
7 listing of some coefficients.

8 Q. Well, the --

9 A. It's a Table 7? Table 7? Is that what you want me at?

10 Q. Yeah. Table 7.

11 A. Table 7. Okay. I got Table 7. Table 7 -- oh, I see,
12 that's on actually page 13. Which you have there.

13 Q. Now, does this table mean that your model explains only 24
14 percent of the observed variation in delta smelt adult
15 abundance?

16 A. Estimates of standard deviation of a processor error and
17 the percentage of the process variation explained by the
18 covariates for the lowest AIC model. So that would be what it
19 would say that it can explain was 24 percent of the process,
20 process variation.

21 Q. Okay. And the process variation is what you tested;
22 right?

23 A. Yes.

24 Q. So it only explains -- the model only explains 24 percent
25 of the variation in adult abundance?

1 A. Yes.

2 Q. Does that mean that 76 percent of the variation in delta
3 smelt adult abundance is unexplained by the variables in your
4 model?

5 A. Yes.

6 Q. And that has to be explained by some other factor or
7 factors; right?

8 A. Yes.

9 Q. Now, does that 24 percent include variation explained by
10 the adult entrainment variable?

11 A. I don't know. I don't have the comparison between the
12 two.

13 Q. So you don't know if the model would explain less than 24
14 percent of the variation if the adult entrainment variable
15 were removed?

16 A. No, I don't.

17 Q. And going back to Table 7. Does this indicate that the
18 model only explains 43 percent of the observed variation in
19 juvenile abundance?

20 A. Yes.

21 Q. And with regard to the larvae stage, is there an effective
22 long-term program for monitoring the abundance of delta smelt
23 larvae?

24 A. Larvae is used there in sort of a loose sense in that this
25 is the index of abundance as measured by the 20 millimeter

1 survey.

2 Q. Which doesn't measure fish smaller than 20 millimeters;
3 correct?

4 A. Well, it does measure fish smaller than 20 millimeters,
5 just they're not as -- the gear selectivity is not 100 percent
6 when you get down to the smaller sizes. But they do measure
7 the smaller sizes as well.

8 Q. But many delta smelt larvae wouldn't be captured in the 20
9 millimeter --

10 A. No, like I say, this is used for the spring 20 millimeter
11 survey, which covers the -- actually covers the early juvenile
12 as well because the survey is taking place throughout the
13 spring. It's just in a paper, when you get to distinguish
14 between the summer ternet survey, so we call that juveniles,
15 whereas the 20 millimeter survey is also going to be sampling
16 the younger juveniles as well.

17 Q. Okay. But can we just establish that the 20 millimeter
18 survey done by the Department of Fish & Game does not
19 effectively monitor delta smelt larvae?

20 A. They -- they -- they do. I mean, they estimate the
21 abundance. Although how Kimmerer, in 2008, got his estimates
22 of entrainment in the spring was by relying on the 20
23 millimeter survey. And so certainly he was interested in
24 providing entrainment estimates for these smaller fish.

25 Q. Okay. But I'm trying to distinguish between the smaller

1 fish that we're talking about here. There's a difference
2 between larvae and juveniles as you portray them here. Now,
3 does the 20 millimeter survey, to your knowledge, effectively
4 monitor for delta smelt larvae?

5 A. By "effectively," not as effectively as they measure
6 larger juvenile fish because of the gear selectivity of the 20
7 millimeter.

8 Q. And can you give us any idea of the percentage of
9 ineffectiveness?

10 A. There is -- I don't -- not off the top of my head. I
11 believe that in their report -- oh, let's see, this would be
12 the report by the -- by Manly's group when they were doing the
13 bootstrap estimates to get the variance estimates on the 20
14 millimeter, they had a gear selectivity curve that was part
15 of -- size selectivity curve that was part of the analysis. I
16 can't recall the curve off the top of my head, but they do
17 have gear selectivity curves that have been developed for the
18 20 millimeter.

19 I think Dr. Kimmerer had one as well that he utilized
20 in his 2008 paper.

21 Q. Okay. Now, plaintiffs state in their brief supporting
22 this motion that, quote, "The question of whether X2 affects
23 smelt abundance has been answered definitively," unquote, by
24 your model.

25 Do you agree that your model definitively answers

1 this question?

2 A. I'm -- it can certainly explain it.

3 Q. Do you believe it definitively answers the question?

4 A. Well, the word "definitively" is perhaps a little -- I
5 mean, it's definite -- it definitely explains it. It
6 definitely explains the claim.

7 Q. But you acknowledge that, quote, "our model is not the
8 final word on the delta smelt, it can undoubtedly be
9 improved," end quote. Right?

10 A. Yes.

11 Q. And you acknowledge that your model only explains less
12 than 50 percent of the variation in both adult and juvenile
13 delta smelt abundance; right?

14 A. Yes.

15 Q. And you've also stated that your model is merely, quote, a
16 start towards answering the complicated question regarding the
17 Delta," right?

18 A. Yes.

19 Q. And your model is essentially based on a series of
20 statistical correlations; correct?

21 A. The model selection process doesn't use correlations per
22 se, but it is definitely a statistically based decision.

23 Q. But you wouldn't call it a correlative -- series of
24 correlative measures?

25 A. I would call it what it is, and that is that it's

1 maximizing likelihood of the -- of the data coming from this
2 particular model. That's -- it's the standard way that you
3 operate in statistics is statistically choosing the set of
4 parameters that maximizes the chances that that particular set
5 of data was driven by the dynamics of the model.

6 Q. So do you agree that the outputs of a life cycle model
7 depends strongly on what its inputs are?

8 A. Yes.

9 Q. And a corollary of this principle is summarized in the
10 phrase "garbage in and garbage out"; right?

11 A. Yes.

12 Q. Now, the peer reviewers on the fall X2 adaptive management
13 approach for this year noted that the life cycle model being
14 developed by Dr. Newman and others, quote, "is very strong
15 scientifically and includes hydrodynamics modelers, ecologists
16 and fish biologists with extensive experience in the Delta,"
17 end quote.

18 Was your life cycle model developed with fish
19 biologists with extensive experience in the Delta?

20 A. Not per se.

21 Q. How about ecologists with extensive experience in the
22 Delta?

23 A. We can apply ecology, but we're not ecologists per se.

24 Q. And even though the peer reviewers of this year's fall X2
25 action devote a section of their paper to life cycle models

1 and other modeling initiatives, they don't mention your model;
2 do they?

3 A. I don't know, I haven't read that.

4 Q. Now, in your testimony, you've compared the validity of
5 various models based on AIC scores; correct?

6 A. Yes.

7 Q. And you state that models that are ten or more AIC units
8 above the best model have essentially no support; correct?

9 A. That's a quote, yes.

10 Q. And you've testified that the previous analysis that you
11 presented to this Court comparing normalized salvage rates
12 with OMR flow has an AIC score that's more than 400 points
13 higher than your more recent models; right?

14 A. Where are you -- where are you quoting from?

15 Q. Well, let's see if we can find it.

16 A. Are you referring to the salvage rate paper? The
17 turbidity one?

18 Q. I'm referring to the analysis -- if you turn to what's
19 been marked Federal Defendants' Exhibit 544, Figure 1
20 presents -- do you have that?

21 A. I don't -- which exhibit is it?

22 Q. Federal Defendants' 544.

23 A. I don't --

24 Q. It's your February 18th declaration.

25 A. My February declaration. February declaration. Well,

1 that's through this morning. Yes, I have 544 now.

2 Q. And if you look at Figure 1 on page 4.

3 A. Figure 1 on page 4. Yes, I have that.

4 Q. So this is your previous analysis that you presented to
5 the Court comparing OMR -- normalized OMR -- or normalized
6 salvage rates with daily OMR; right?

7 A. Yes.

8 Q. And you testified that this model has an AIC score that's
9 noted 400 points higher than your more recent life cycle
10 model?

11 A. It's not referring to my more recent life cycle model.
12 Where are you reading from?

13 Q. What is that referring to?

14 A. It was referring -- it appears that it's a model fit in
15 this to doing a multiple linear regression, using turbidity
16 and OMR flows to predict the salvage rates and found that this
17 model is way better than the multiple regression fits. And
18 400 units sounds about the difference between the two.

19 Q. And by "this model," you mean your turbidity model that
20 you presented in February?

21 A. Yeah, this is -- we're talking about the turbidity model
22 now, on the daily salvage rates.

23 Q. And so given those AIC scores, you wouldn't recommend
24 using your normalized salvage analysis anymore?

25 A. I wouldn't recommend the multiple regression. As I've

1 said, the results in Figure 1 are exceedingly better than the
2 multiple linear regression using simply turbidity and Old
3 Middle River flow.

4 Q. Which is what you had previously presented to this Court?

5 A. No. It's not been presented anywhere. It was done as
6 part of the analysis on the daily -- on the daily salvage
7 data.

8 Q. Okay. Now, you stated that your life cycle model has been
9 peer reviewed; correct?

10 A. Yes.

11 Q. Did any of the peer reviews you received criticize your
12 model?

13 A. There were some suggestions.

14 Q. What were those suggestions?

15 A. The peer review process is confidential. I'm required by
16 Canadian Journal policy not to discuss the contents of the
17 peer review or the correspondence with the editors.

18 Q. So even though you testified to this Court that your model
19 is peer reviewed, you're not going to tell us what data you
20 considered when you had -- underwent that peer review?

21 A. That would be violating the journal policy. It would be
22 unethical for me to do that so I'm not going to.

23 Q. Well, Dr. Deriso, do you understand that the federal rules
24 require a testifying expert to disclose the facts and data
25 that they've considered in formulating their opinion?

1 A. You can talk it over with the Canadian Journal and see if
2 you can get them to change their minds.

3 Q. The Canadian Journal is not testifying here, Dr. Deriso.
4 You are.

5 A. I'm not going to do an unethical thing. I'm not going to
6 violate journal policy.

7 MS. POOLE: Your Honor, in light of that, I'd move to
8 strike any reference to Dr. Deriso's model as peer reviewed.

9 THE COURT: Mr. Gonzalez, any response?

10 MR. GONZALEZ: Well, Your Honor, I mean, it was peer
11 reviewed. It's undisputed that it was.

12 THE COURT: Well, that's a conclusion of law. If his
13 peer reviewed article is based on underlying data,
14 assumptions, principles, whatever else is included, and we
15 certainly have no quarrel. The Journal may have its policy,
16 but without the underlying data, his opinion is subject to
17 being stricken unless there is a legal reason not to do it.
18 The motion to strike has to be granted.

19 MR. GONZALEZ: Your Honor, my understanding is that
20 all of the underlying data is something that the witness is
21 willing to testify about.

22 MS. POOLE: Well, the underlying data includes the
23 peer reviews he's received.

24 MR. GONZALEZ: I'm not sure that it does or not. He
25 hasn't been asked that question.

1 MS. POOLE: Well, it certainly does. Under Rule 26,
2 it does.

3 MR. GONZALEZ: My point is, Your Honor, it hasn't
4 been established yet whether there were any changes to his
5 article at all at this point.

6 THE COURT: I don't think that's the pending
7 question. And the underlying basis that provides the
8 foundation for a peer review is something that apparently
9 academically, or from the protocols of the Canadian Fisheries
10 Journal, are not permitted to be disclosed.

11 And therefore, it's the witness' opinion that the
12 work that's peer reviewed. If there is some other objective
13 evidence of that, you may offer it. But I have to strike the
14 current opinion because the foundation doesn't exist for it.
15 The motion is granted.

16 MS. POOLE: Thank you, Your Honor.

17 Q. Did the Metropolitan Water District of Southern California
18 pay you to develop your life cycle model?

19 A. Yes.

20 Q. And are you aware that Met and other plaintiffs have
21 argued in this case that the Fish & Wildlife Service acted
22 unreasonably because the agency allegedly ignored the concerns
23 of their own peer reviewers?

24 A. I recall something like that.

25 Q. And do you recall previously testifying in this case that,

1 in your opinion, peer review is not important to validate
2 scientific analysis?

3 A. I don't believe those are the exact words that I used.

4 Q. Well, let me repeat to you the colloquy that we had on
5 April 6th, 2010 from the transcript.

6 My question: "And why is peer review considered
7 important when developing scientific analyses?"

8 Your answer: "It's not."

9 Q. Do you recall that?

10 A. Yes.

11 Q. And do you recall testifying that you would not give any
12 more weight to a peer reviewed study than you would to a
13 non-peer reviewed study?

14 A. I don't know if that's the exact words that I said, I
15 don't recall exact words. It was similar to what I believe.
16 Peer review does not mean that it's a superior piece of work.

17 Q. Now, the request for the peer review of this year's fall
18 X2 action asked what kind of action seems appropriate given
19 the present array of available information.

20 Do you know whether the peer reviewers determined
21 that the fall X2 action was not biologically beneficial?

22 A. No.

23 Q. Are you aware that they stated the -- the peer reviewers
24 stated that 2011 provides an unusual opportunity for a quantum
25 leap in our understanding of the link between delta smelt and

1 fall outflow?

2 A. No.

3 Q. Do you agree that the fall X2 action presents an unusual
4 opportunity for a quantum leap in our understanding of the
5 link between delta smelt and fall outflow?

6 A. I disagree.

7 Q. The peer reviewers went on to state that "Institutional
8 failure to seize this ecological hydrological moment
9 in time may handicap future efforts at large scale
10 ecosystem restoration and experimentation."

11 Do you believe that any valuable scientific knowledge
12 could be gained from implementing the fall X2 action this
13 year?

14 A. No.

15 MS. POOLE: That's all I have, Your Honor. Thank
16 you.

17 THE COURT: What's the basis for the last answer that
18 you gave?

19 THE WITNESS: There's -- this fall X2 action is like
20 some sort of grand experiment. And there's nothing in the
21 scientific data from past years that's indicated that changes
22 in X2 affect the population's growth rate. So I wouldn't
23 expect a change in X2, whether it's induced, caused by a court
24 action or due to natural causes is going to have an effect on
25 the population's growth rate.

1 THE COURT: And then, obviously, you disagree with
2 the other scientists who express the opinion that the
3 implementation of the X2 experiment, if you want to use that
4 term, or RPA, would provide beneficial information?

5 THE WITNESS: There's no evidence in
6 the -- scientific evidence to place that hypothesis -- that
7 supports a hypothesis. The available scientific data -- we
8 have it going back for many years -- does not support the
9 changes in X2 that are going to affect the population's growth
10 rate. So I certainly wouldn't expect it to do it this year.

11 And a one-time experiment, if we're going to call it
12 that, isn't very much data. When I do data analysis of
13 population dynamics, longer time series are better. This
14 paper that I can no longer refer to, I guess, as peer reviewed
15 anyway, we were looking at from 1972 to 2006, so we had a nice
16 long span of years to work with. I would not have tried to do
17 something like that on a single year of data.

18 THE COURT: Thank you.

19 MS. POOLE: Thank you.

20 THE COURT: Any redirect?

21 MR. GONZALEZ: Just briefly, Your Honor. Hold on,
22 Dr. Deriso.

23 THE WITNESS: Oh.

24 THE COURT: Not yet.

25 THE WITNESS: Excuse me.

1 MR. GONZALEZ: I just have a few quick questions.

2 REDIRECT EXAMINATION

3 BY MR. GONZALEZ:

4 Q. You were asked some questions about Mr. Feyrer's 2007
5 article. Do you recall that?

6 A. Yes.

7 Q. It was marked as Exhibit 586. Are you familiar with this
8 article?

9 A. Yes.

10 Q. Is there anything in this article that, in your opinion,
11 could support an argument that the delta smelt will be harmed
12 if X2 is not implemented?

13 A. No.

14 Q. And there's also been some testimony about 74 kilometers.
15 Is there anything in this article that, in your view, a
16 reasonable scientist would be able to place X2 at based on
17 what's in this article?

18 A. It's my opinion that it would not.

19 Q. All right. You were asked some questions about the data
20 that you used. The X2 data that you used in your model.
21 Where did you get that data?

22 A. That data is a data set that was provided to the 706
23 expert witnesses. And I believe it was provided by a federal
24 agency, I believe, or it could have been a state agency.

25 Q. Doctor, if someone wanted to expand the habitat for the

1 delta smelt, would it be reasonable to look only at X2?

2 A. No.

3 Q. Why not?

4 A. Because there's a lot of biotic and abiotic factors that
5 define the habitat of a fish species, including delta smelt.
6 Certainly prey abundance. The abundance of predators. Maybe
7 the turbidity. There's a lot of -- a lot of contaminants.
8 The list just goes on.

9 Q. You were asked about the data that you used and whether or
10 not there might be alternative data that you could have used.

11 Did MacNally and Thomson use the same exact data or
12 different data than what you used?

13 A. Different data.

14 Q. And they reached the same conclusion, no connection
15 between X2 and the decline of the delta smelt?

16 A. That's correct.

17 Q. And I want to ask you a couple of questions about a couple
18 of new theories that were just advanced by the defendants.

19 First, is there any article that you are aware of, or
20 publication, that suggests or states that X2 is going to lead
21 to the delta smelt moving to where there's more food?

22 A. No.

23 Q. And they mentioned one other one. Is there any
24 publication that you're aware of that studies and concludes
25 that X2 is going to cause the delta smelt to move to more

1 turbid waters?

2 A. No.

3 MR. GONZALEZ: Thank you, doctor. That's all I have,
4 Your Honor.

5 THE COURT: Anything further, Mr. Wilkinson?

6 MR. WILKINSON: No, Your Honor.

7 THE COURT: Mr. Eddy?

8 MR. EDDY: No, Your Honor.

9 THE COURT: Ms. Poole?

10 MS. POOLE: Not at this time, Your Honor. Thank you.

11 THE COURT: May this witness be excused?

12 MR. GONZALEZ: Yes, Your Honor.

13 THE COURT: Thank you, Dr. Deriso. You may step
14 down. You are excused.

15 Call your next witness, please.

16 MR. GONZALEZ: Your Honor, we call Dr. Ken Burnham.

17 **KENNETH PAUL BURNHAM,**

18 called as a witness on behalf of the Metropolitan Plaintiffs,
19 having been first duly sworn, testified as follows:

20 THE CLERK: Please have a seat. And when you do,
21 state your full name and for the record and spell your last.

22 THE WITNESS: Kenneth Paul Burnham, spelling of the
23 last name being B-U-R-N-H-A-M.

24 THE COURT: You may proceed.

25 MR. GONZALEZ: Thank you, Your Honor.

1 DIRECT EXAMINATION

2 BY MR. GONZALEZ:

3 Q. Dr. Burnham, first administrative matter. In the binder
4 in front of you, can you confirm that Exhibits 2 and 4 are the
5 two declarations that you have signed in connection with this
6 proceeding dated June 16 and July 15, 2011.

7 A. 2 and 4. Yes.

8 MR. GONZALEZ: Your Honor, I move Exhibits 2 and 4
9 into evidence.

10 THE COURT: Any objection?

11 MR. EDDY: Just what we've objected to in the motion
12 to strike, Your Honor.

13 THE COURT: Thank you. And your objections are
14 preserved. Exhibits 2 and 4 are received in evidence.

15 (Metropolitan Plaintiffs' Exhibits 2 and 4
16 were received.)

17 BY MR. GONZALEZ:

18 Q. Dr. Burnham, you've been retained by the Metropolitan
19 Water District of Southern California to express an opinion on
20 whether an injunction in this case would harm the delta smelt;
21 is that right?

22 A. Yes.

23 Q. Doctor, I'd like to start with some background. You're
24 presently retired?

25 A. Yes.

1 Q. You retired after working more than 40 years for the
2 federal government?

3 A. 36 and a half.

4 Q. All right. You retired in January of 2009?

5 A. Yes.

6 Q. And let's start, doctor, briefly, with your educational
7 background. Would you please tell the Court where you went to
8 school, when you graduated and what degree you received?

9 A. I assume we're talking college here. It was in the State
10 of Oregon. My undergraduate work was at Portland State
11 University. I completed there a bachelor of science in
12 biology in spring of 1966.

13 And then went to Corvallis Oregon to Oregon State
14 University for graduate study and received -- earned an MS in
15 statistics in 1969 and completed a Ph.D. in mathematical
16 statistics in February of 1972.

17 Q. All right. And doctor, with respect to your employment,
18 after you received your Ph.D., did you begin working for the
19 US Forest Service in Alaska?

20 A. Yes.

21 Q. And tell us briefly what you did there.

22 A. That was a research unit of the Forest Service at that
23 time focusing on fire in interior Alaska and issues of what
24 effect it had. For one thing, as to whether it was worth
25 fighting or not given the cost, will it regenerate quickly or

1 not. So I -- we were involved in particular. I was only
2 there for a year, and one study was Wickersham Dome Fire,
3 looking at the regeneration, how fast do things regenerate.

4 Q. Doctor, in 1973, did you move to work in Maryland?

5 A. Yes.

6 Q. For the US Fish & Wildlife Service?

7 A. Yes.

8 Q. And tell us, just briefly, please, what you did there?

9 A. I was with the Migratory Bird & Habitat Research Unit, it
10 was called, at the Patuxent Wildlife Research Center. We were
11 focused on issues of population dynamics of migratory
12 waterfowl in relation to their being hunted.

13 And most of the studying I was doing related to the
14 use of banding these waterfowl are banded in very large
15 numbers and the banding information, when you recover it
16 from -- mostly from hunters, can tell you movements. But I
17 was focused on the survival information in that.

18 So we have various species, males and females, young
19 adult and what are the survival rates that we can infer from
20 this capture, marked release recapture data on them. And that
21 feeds now and then in to issues of regulations for the hunting
22 of waterfowl.

23 Q. Did you use a quantitative model in that work?

24 A. Yes.

25 Q. And tell us very briefly what that is.

1 A. Oh. Well, you're figuring out how might these data have
2 arisen if we imagine them as random variables. And that would
3 be some sort of a probability model with particular structure
4 on it, that then relates the information about survival and
5 recruitment or capture probabilities or whatever to
6 the -- what you can extract from the data. It's very similar
7 to other sorts of models we've talked about here.

8 So it's linking the data to the population dynamics
9 and the parameters in those, which are interpretable in terms
10 of vital rates on these populations.

11 Q. And were the results of your rates used to manage hunting
12 operations?

13 A. Yes. Indirectly. We were one step back from the
14 management aspect of it, the hunting regulations being set.
15 But the methodology applied to routine data collection was
16 relevant to those issues of hunting regulations.

17 Q. In 1975, did you move to Colorado?

18 A. Yes.

19 Q. Still working for the US Fish & Wildlife Service?

20 A. Yes.

21 Q. What did you do in Colorado?

22 A. That was a new team they created called the Western Energy
23 & Land Use Team. The goal of -- the intention of which was
24 to, mostly by contracting, look at issues of impacts on
25 wildlife in the west of energy development, which was ramping

1 up then.

2 So we got involved in a number of projects, trying to
3 look in advance before a lot of development occurred, what
4 might the impact be of oil field development, of more strip
5 mining of oil, of drilling for gas and also the impacts then
6 this would have about water. So it was terrestrial mostly,
7 but some fish concerns.

8 Q. Did you develop models in connection with that work as
9 well?

10 A. A little bit.

11 Q. Did your job involve determining how to best collect and
12 interpret data?

13 A. Yes. Most of the stuff at that time was about designing
14 studies and collecting data which would have some relevance to
15 these issues.

16 Q. And then in 1983, you moved to North Carolina?

17 A. Yes.

18 Q. And you worked there for the USDA?

19 A. Yes, Agricultural Research Service. I was there for five
20 years.

21 Q. And what did you do then?

22 A. At that -- in that position, I was in the role of a
23 consulting statistician to the agricultural research
24 scientists in some places in North Carolina, Virginia, South
25 Carolina and Georgia.

1 Q. As of this point, had you worked with someone named David
2 Anderson?

3 A. Yes. David was heading up the work at Patuxent when I
4 went there. And so I was working -- I started working with
5 him then in August of '73.

6 Q. And what work did you do with David Anderson?

7 A. Over this entire period of time, which is still
8 collaborating. It's mostly focused on methodology for
9 estimating the abundance of animals or methodology for looking
10 at population dynamics issues. Survival rates, in other
11 words, analyzing mark-recapture data, capture-recapture data,
12 count data and -- which includes something we don't use
13 anything in here of, which is distance sampling.

14 Q. When you say "animals," are you including fish?

15 A. Yes.

16 Q. And while you were in North Carolina working with David
17 Anderson, did you work with him with respect to dams?

18 A. Yes. We got involved in a project, he got me involved on
19 the mainstem Columbia on dams there. Outmigrating salmonids
20 have to go past over, through these dams. To the extent they
21 go through the turbines, there was an issue of what's the
22 mortality. A lot of studies had been done on this at that
23 time, some of these studies costing a million dollars and they
24 were poorly designed, poorly set up. So we looked at issues
25 of alternatives for designs, various designs.

1 And all of these are in the nature of capturing -- or
2 releasing marked fish above turbines, below turbines,
3 recapturing at subsequent dams. Looking at survival rate
4 estimates. It was actually an experiment. So looking at
5 estimating mortality through the turbines in particular.

6 Q. Were you looking to answer the question can we vary
7 conditions to minimize mortality?

8 A. Yes. The experiments can be used that way.

9 Q. And through that work, did you approve data gathering and
10 analysis methodology?

11 A. Yes. We took a very general look at this stuff. It's
12 only applicable to that context, but in our minds, we were
13 generating more general theory.

14 Q. Among other things, did you look at the juvenile salmon?

15 A. Yes.

16 Q. And as a result of that work that you did with Dr.
17 Anderson, did you write a book?

18 A. Yes.

19 Q. Just for the record, I'm holding the book in my hand. It
20 is blue. Entitled "Design & Analysis Methods for Fish Survival
21 Experiments Based on Release Recapture" and you're the lead
22 author?

23 A. Yes.

24 Q. Published by the American Fisheries Society?

25 A. Yes.

1 Q. And can you tell the Court, just generally, it's about 400
2 pages. I don't need 400 pages worth. Just generally, what's
3 in this book?

4 A. Methodology for experiments where you would have marked
5 and -- marked control and treatment animals subject to some
6 sort of a treatment, such as releasing fish above a dam
7 directly into the turbine intake essentially and releasing
8 controls just below where the outtake comes, that constitutes
9 the control treatment setup.

10 But it could be other things. This has been used on
11 this -- this type of idea has been used on waterfowl where the
12 treatment was giving them one, two or four lead pellets put
13 down their throat because we were concerned about lead
14 poisoning and issues going on. And it has other applications.

15 So it's a book about how to use capture-recapture
16 ideas and models in the context of deliberate experimentation.

17 Q. In September of 1988, did you move back to Colorado?

18 A. Yes.

19 Q. Back with the US Fish & Wildlife Service?

20 A. Yes, at that time, Fish & Wildlife Service. It was within
21 the context of a program that was a cooperative of Fish &
22 Wildlife research units, which are at Land-Grant University.
23 The program started in 1935.

24 When you're in that program, you have to be accepted
25 at the university as qualified to be graduate faculty, to

1 teach graduate courses, to have students. And the nature of
2 the work is almost as if you are faculty on there. But you
3 are a federal employee doing research that has relevance to
4 concerns of Fish & Wildlife -- US Fish & Wildlife Service for
5 management of wildlife.

6 Q. And during this stint, in September of 1988, you worked
7 there with the US Fish & Wildlife Service for a little over 20
8 years?

9 A. I worked in that position for 21 years.

10 Q. And Mr. David Anderson, was he there as well?

11 A. He was the unit leader. He is now retired also.

12 Q. And can you tell the Court, over that period of time, 20
13 years, did you work with a number of different animals or
14 species?

15 A. Oh, yes. Worked on marine -- I worked with people who
16 were working on these things. Marine mammals, deer, elephants
17 in Africa, even sometimes some insect data. When I say
18 "data," working with other people who have collected the data
19 or historical data.

20 Q. Fish?

21 A. Yes. Oh, yes, definitely fish.

22 Q. Waterfowl?

23 A. Waterfowl, yes.

24 Q. Spotted owl?

25 A. Ah, the spotted owls. Shall I say more about that?

1 Q. Well, not yet.

2 A. Okay.

3 Q. That's another case.

4 A. Yeah.

5 Q. Did you -- did you and a number of other people write a
6 book in connection with the work that you've done with respect
7 to the northern spotted owl?

8 A. Quite a number of publications. And that's actually a
9 monograph hard bound, but yes.

10 Q. And for the record, I'm holding it in my hands. And what
11 is, just generally speaking, this book about? It's entitled
12 "Population Demography of Northern Spotted Owls."

13 A. Studies on the northern spotted owl have been going on for
14 about 25 years now, a number of different places. Every so
15 often we've had an analysis, a thorough analysis of all extent
16 data. That one reports the analysis we did in a major
17 workshop meeting in January of 2009, I believe it was.

18 Q. This is a recent publication?

19 A. Yeah, I've only had my hands on it for ten days. Yeah,
20 yeah, very recent. So looking at the capture-recapture and
21 other data on the northern spotted owl. Looking at the
22 population dynamics, looking at what impacts survival, looking
23 at what seems to impact fecundity, reproductive success on
24 them. And in general, looking at the trends in the northern
25 spotted owl. Some of that was tied into habitat cohorts and

1 characteristics. It's an application to capture-recapture on
2 the owl, but some of the same concerns, issues, thinking that
3 are going on with the fish.

4 Q. Did your colleague, Dr. David Anderson, chair a committee
5 with respect to the northern spotted owl?

6 A. Yes, when it heated up, they appointed a committee to look
7 into it. They should be listed. And David chaired that
8 committee.

9 Q. And you were on it?

10 A. No, I was not on the committee.

11 Q. Okay.

12 A. I was back in Colorado. He was in Oregon. I kept getting
13 emails and phone calls and ended up doing a lot of involvement
14 with the issue and the data. And that's why I've been
15 involved ever since in the analysis of those data.

16 Q. All right. From 1988 to 1992, did you and Dr. Anderson
17 and two other scientists from France receive funding from the
18 National Science Foundation?

19 A. Yes. A special program for a mode of encouraging
20 international cooperation. People are working on the same
21 issues in different countries and often they don't get
22 together enough. And so you have separate lines of research
23 going on.

24 And yes, we worked together and produced a monograph,
25 published an ecological monograph which established a new

1 frame of the way of thinking about analysis of
2 capture-recapture data.

3 Q. And how many times has that publication been cited by your
4 peers in your profession?

5 A. In the vicinity of 1,700 times.

6 Q. You were promoted to senior scientist in August of 2004?

7 A. Yes.

8 Q. Is that the highest position a scientist can obtain in the
9 federal government?

10 A. Yes, that's the highest level.

11 Q. What is your understanding as to how many senior
12 scientists there were when you received that title?

13 A. I may forget the exact number, but they have a -- they had
14 a cap on it of, I think, it was 43. You could only have 43
15 people at that level. And this was to keep the -- the quality
16 of that level high. You had it -- it takes some doing to get
17 promoted that high.

18 Q. That's 43 out of how many?

19 A. Total people in the interior then was somewhere around
20 15,000. Certainly many thousand. The number of those were
21 scientists were less, but certainly in the thousands of people
22 were categorized as scientists and hence eligible.

23 Q. Just briefly, you taught graduate courses?

24 A. Yes.

25 Q. At Colorado State University?

1 A. Yes.

2 Q. You taught a course on the design of Fish & Wildlife
3 studies?

4 A. Yes.

5 Q. Sampling and analysis of vertebrae populations?

6 A. Yes.

7 Q. Numerous times you've taught a course on design of Fish &
8 Wildlife studies?

9 A. Several times. Yes.

10 Q. You've taught a graduate seminar on the finite sampling
11 theory regarding wildlife and natural resources?

12 A. Yes.

13 Q. You taught a course on the principles of probability
14 sampling?

15 A. Yes.

16 Q. And multiple times you've taught a course on sampling
17 biological populations?

18 A. Yes.

19 Q. Briefly, on professional societies. Since 1967, you've
20 been a member of the American Statistical Association?

21 A. Yes. 44 years now.

22 Q. Became a fellow of that organization in 1990?

23 A. Yes.

24 Q. Since 1968, been a member of the International Biometric
25 Society?

1 A. Yes.

2 Q. Since 1973, you've been a member of the Institute of
3 Mathematics --

4 A. Yes.

5 Q. -- & Statistics?

6 A. Yes.

7 Q. And you have served in the past for three years as an
8 associate editor of Ecology?

9 A. Yes.

10 Q. You've also served as an associate editor for three years
11 at Biometrics?

12 A. Yes, in the past. Yes.

13 Q. In 2007, you were president of the Western North American
14 Region of the International Biometrics Society?

15 A. Yes.

16 Q. And you have in your lifetime published close to 200
17 publications and reports?

18 A. I'd say rounding off 193.

19 Q. All right. That's close to 200 in my book.

20 A. Yes.

21 Q. But I'll take that. And in addition to the books I've
22 shown you, you've published other books as well?

23 A. Yes.

24 Q. One of the other books that you published is Model
25 Selection and Multi-Model Inference -- for the record, I'm

1 holding it in my hands -- a Practical Information Theoretic
2 Approach?

3 A. Yes.

4 Q. It is now in its second edition.

5 A. Yes.

6 Q. Do you remember when the first and second edition were
7 published?

8 A. The first edition was 1998, and that was in 2002. 2002?
9 Yes.

10 Q. And your co-author is --

11 A. David Anderson.

12 Q. The person we've been discussing.

13 A. Yes.

14 Q. And tell the Court just briefly, this book is close to 500
15 pages. Tell the Court just briefly what this book is about.

16 A. We've talked -- the issue of models has come up here a
17 lot. In particular parametric models. They're models, we
18 know the structure and they're parameters, they're unknown
19 values which we fit from data. It's a very common paradigm in
20 statistics, whether you're a frequentist or Bayesian.

21 But in these areas dealing with natural resources and
22 things that aren't tightly controlled experiments, and even
23 sometimes there, you don't know exactly what's the correct
24 model. There isn't a correct model. There is a variety of
25 models you can specify with -- in capture-recapture, with

1 issues like should the parameters -- we may have multiple
2 years of data.

3 And so you've got survival, annual survival through a
4 variety of years. You've got males and females, you may have
5 age in there. So do the parameterizations need to be time
6 varying? Do they vary by male/female? What effects these
7 things going on. You don't know.

8 Well, each of those issues corresponds to a different
9 model. How do you select which model? We generalize in
10 there, that was the other -- the older way of thinking. We
11 generalize in there to the issue of establishing data
12 supported weights for these models. What does the data have
13 to say about the strength of evidence for each model,
14 conditional on the model in the set? And that's what the book
15 is about. The methodology and what you can do with all of
16 that.

17 So while it's for model selection, it really goes
18 beyond that to how can I make inference from all of the models
19 by creating an appropriate set of data driven weights on
20 these. And then proceeding, once I have those.

21 Q. Your information in the book, can it be applied to someone
22 who wants to look at fish modeling?

23 A. Yes. It's anything. Fish, wildlife, it's not -- the
24 methodology is not specific to this or any other area. It can
25 be used across all sorts of applications.

1 Q. Doctor, how many times, generally, have you been cited in
2 peer reviewed publications?

3 A. It -- a minimum of 14,000 times.

4 Q. Currently, you are working on a UN program in Africa
5 monitoring the unlawful killing of elephants?

6 A. Yes. There's a treaty, international treaty known as
7 CITES, Convention on International Trade in Endangered Species
8 of Fauna and Flora. These -- that treaty, that program,
9 CITES, has various projects. They funded -- they initiated
10 and funded a program called MIKE, Monitoring the Illegal
11 Killing of Elephants. Sorry, I get going too fast no doubt.

12 That's been around for a little more than ten years.
13 The issue there is related to the illegal ivory trade and the
14 poaching of elephants to feed that illegal ivory trade. CITES
15 is trying in various ways to do what they can about that.

16 Our project is more on the science side of can we
17 monitor this rate of illegal poaching, can we tell where it's
18 highest or lowest, can we tell what the trends are in it. Can
19 we -- most recently, can we estimate in any reliable sense the
20 numbers of elephants that are being illegally poached. So I'm
21 involved in that project.

22 Q. Doctor, are you familiar with EURING?

23 A. Yes, European Union for Bird Ringing.

24 Q. It's all caps, E-U-R-I-N-G.

25 A. Yes.

1 Q. And can you tell the Court just briefly, what is EURING?

2 A. I mentioned -- it came up before here. I worked with
3 banding of waterfowl in this country. It's easy -- somewhat
4 easy in North American. There's Canada, the US and Mexico to
5 coordinate with on these banding programs. Canada wants to
6 band, we want to band. You've got to use the same bands and
7 coordinate the band numbers.

8 Europe is a little -- same issue, but much harder
9 when there's a couple of dozen countries. They all want to do
10 banding, but you have to coordinate this so the bands are
11 different. So the same band number does not occur in being
12 put on a bird in the Netherlands and one in Poland. So EURING
13 coordinates the bird -- and they call it ringing across all of
14 Europe and actually into Africa. And so the bands are unique
15 everywhere.

16 They also coordinate where the data comes back to.
17 So the type of data you get on this is when a bird --
18 abandoned animal, bird is found dead or shot and recovered,
19 band in hand, we want people to report that to a central
20 authority. So all of the data comes back in there. And that
21 is now being done by British Trust for Ornithology in the UK.
22 And so EURING coordinates all of these activities. And given
23 they do that, then they got concerned with how do we better
24 analyze these data.

25 Q. Have you been involved with that organization for 25

1 years?

2 A. Yes. I had to think about the dates on all this.

3 Q. Are there technical meetings held by that organization
4 every three years?

5 A. Yes. That's where I said they got concerned about the
6 good analysis of the data and they instituted a meeting, which
7 they thought might be one time in February of 18 -- 1986 in
8 Wageningen, Netherlands to look at the issue of how do we
9 better analyze these data.

10 Q. And at these technical meetings of EURING, are new models
11 presented and discussed to advance the state of the art?

12 A. Yes. Very much.

13 Q. You mentioned the first meeting of this organization in
14 February of 1986, did you attend?

15 A. Yes.

16 Q. How many Americans were invited to attend that meeting?

17 A. Just two. Myself and David. We were invited by the
18 EURING organization because of the work we had done on
19 analyzing bird banding data.

20 We'd published with two other people a moderately
21 large monograph on the methods and the models for analysis of
22 such data. And nothing like that had been done before. And
23 to some extent that motivated the EURING folks to say they
24 needed to catch up on how to analyze these data.

25 Q. You mentioned you and David were invited. Would that be

1 Dr. Anderson?

2 A. Oh, yes, Dr. Anderson.

3 Q. The methodologies that you discussed at EURING, are they
4 applicable to fish?

5 A. Yes.

6 Q. Have they been used with respect to fish?

7 A. Yes. They've been used with respect to fish.

8 Q. You said you attended the first meeting. How many
9 meetings have there been, these technical meetings that occur
10 every three years --

11 A. There have now been nine such meetings.

12 Q. And of those nine meetings, how many have you attended?

13 A. All of them.

14 Q. Has any other American or US citizen attended all nine of
15 those meetings?

16 A. No.

17 Q. How many other people in the world have attended all nine
18 of those meetings?

19 A. One. Pertti Saurola from Finland.

20 Q. All right. Almost finished with your qualifications.

21 Just two weeks ago, can you tell the Court what you were doing
22 professionally?

23 A. I had been -- I was invited to be part of a small review
24 team to look at the monitoring program on something known as
25 the endangered Sage sparrow. So I was on San Clemente

1 Island. This is one of the Channel Islands in California,
2 it's the southernmost one. It is entirely owned and operated
3 by the US Navy for advanced training of all sorts and what
4 they do. There are a number of endangered species there.
5 This is one of them.

6 The Navy pays -- it's paid over time quite a bit of
7 money for the monitoring of it. And it's being actively
8 monitored for about 11 years and they thought it was time to
9 take a hard look at the monitoring program. And so we did.
10 And this was to come up with any recommendations for changes.

11 Q. You're basically there to change out their monitoring
12 program, to make sure it's a good program?

13 A. Yeah. And basically it's pretty good. The main thing I
14 would have to say about it is it sort of recognizes itself.
15 They started monitoring in the area of about the 20 percent of
16 the island where they knew is high density of this Sage
17 sparrow, and, over time, they started to realize that they
18 were occurring elsewhere as well. In fact, they seem to be
19 expanding on the island.

20 So one of the key issues that they wanted support
21 from us on is they need to expand the monitoring to elsewhere.
22 This is a common issue I've seen in monitoring wildlife. They
23 tend to start in core areas where they know there are a lot of
24 animals, and they either act like there aren't any anywhere
25 else or they ignore that issue, and the next thing you know

1 they realize that they're only monitoring a part of the
2 population, making it difficult to make inferences about
3 what's going on with the entire population.

4 Q. All right.

5 A. And this is one case exactly like that.

6 Q. Doctor, earlier this year, were you informed that you were
7 the recipient of the Wildlife Society's Aldo Leopold Memorial
8 Award for 2011?

9 A. Yes. That was in a letter which three weeks later they
10 sent me an email and said I'm supposed to keep it quiet.

11 But --

12 Q. Well, we won't tell anyone.

13 A. Okay.

14 Q. Is that the highest honor bestowed by the Wildlife
15 Society?

16 A. Yes.

17 Q. For distinguished service to wildlife conservation?

18 A. I believe that's the way it's worded.

19 Q. And David Anderson, your co-author who you discussed, has
20 he also previously been a recipient of that award?

21 A. Yes.

22 Q. One of your other co-authors on the Blue Book is Gary
23 White. Is Gary White another prior recipient of that award?

24 A. Yes.

25 Q. Doctor, would it be fair to say that you've devoted most

1 of your life to helping people to safely conserve and manage
2 animal populations?

3 A. My professional life -- yes, in terms of the contributions
4 I make in the statistical arena.

5 MR. GONZALEZ: Your Honor, we offer Dr. Burnham as an
6 expert in statistics, model selection and population sampling.

7 THE COURT: Any objection?

8 MR. EDDY: No objection, Your Honor.

9 THE COURT: All right. The Court finds that Mr.
10 Burnham -- Dr. Burnham, whichever you prefer, is qualified by
11 background, training, education and experience in the fields
12 of statistics, model selection and population sampling. You
13 may proceed.

14 MR. GONZALEZ: Thank you, Your Honor.

15 Q. Doctor, let me start off by asking you generally. When
16 you are asked to analyze what factors might lead to the
17 decline of a population of species, what do you rely on?

18 A. Data.

19 Q. What do you mean by "data"?

20 A. Quantitative numbers that have been collected in some way
21 directly relate to the individual animals and where they are,
22 which will implicitly and explicitly contain information about
23 their numbers, their trends over time in numbers, their
24 survival rates, which are survival probabilities, and their
25 fecundity, their reproductive aspect, how many juveniles are

1 being produced.

2 Q. Doctor, did we ask you to come to court to render an
3 opinion on whether the delta smelt will be harmed if the fall
4 X2 action is not implemented?

5 A. Yes, you did.

6 Q. And in preparing to testify, have you reviewed the BiOp in
7 this case?

8 A. Yes.

9 Q. Have you reviewed the reports or articles of Mr. Feyrer in
10 2007, the draft in 2008 and the final version of his article
11 in 2011?

12 A. Yes.

13 Q. Have you reviewed the recently published article by
14 Doctors Deriso and Maunder?

15 A. Yes. Yes.

16 Q. Have you reviewed the two articles that Mr. Feyrer
17 co-authored with MacNally and Thomson in 2010?

18 A. Yes.

19 Q. Have you reviewed the article published by Dr. Kimmerer in
20 2009?

21 A. Yes.

22 Q. And have you reviewed the declarations filed by Mr.
23 Nobriga and Dr. Norris on behalf of the defendants in this
24 case?

25 A. Yes, I have seen those, yes.

1 Q. And based on your work experience and your review of those
2 materials, in your opinion is there any reasonable scientific
3 basis for concluding that the delta smelt will be harmed if
4 the fall X2 action is not implemented?

5 A. No.

6 Q. If someone were to argue in this Court that you have to
7 implement the fall X2 because if you don't, the delta smelt
8 population would be harmed. In your opinion, would there be
9 any reasonable scientific support for that assertion?

10 A. No. In all of the looking at this, I was looking for
11 evidence that would bear on that. And my answer is based on
12 looking for said evidence.

13 Q. Doctor, if you were asked to study whether the location of
14 X2 was relevant to the decrease in the population of the delta
15 smelt, what would you look at?

16 A. I would look at a time series of at least annual data on
17 what is the size of the population from year to year to year
18 and where has X2 been. I would need -- I would want to know
19 the size of the total population of these in -- and what was
20 X2. And then is there any correlation in there or are they
21 perhaps not looking correlated.

22 Q. Would you consider population dynamics?

23 A. Yes.

24 Q. Why?

25 A. Well, population dynamics are underlying what's going on.

1 If something is having a causal relationship, it needs to show
2 up in basically some of the vital rates in their survival.
3 And/or their ability to reproduce.

4 Q. Did the government do that in preparing the BiOp?

5 A. Not that I -- I don't think so, I don't remember that
6 stuff being in there.

7 Q. Doctor, given your work for the past 35 years and your
8 review of materials in this case, if this Court were to decide
9 to issue an injunction stopping the implementation of the X2
10 program scheduled for September, would you be concerned that
11 the delta smelt population would be jeopardized somehow?

12 A. No, I would not.

13 Q. Why not?

14 A. I do not see any evidence that X2 is impacting the
15 population dynamics of it.

16 Q. Doctor, are you familiar -- I think you've indicated you
17 were -- with the article recently published by Dr. Deriso and
18 Dr. Maunder examining the delta smelt?

19 A. Yes.

20 Q. In your view, was that article prepared in a reasonably
21 scientific way?

22 A. Yes.

23 Q. Are you familiar, doctor, with Dr. Kimmerer's publication
24 in 2009?

25 A. Yes.

1 Q. If you'll turn to Exhibit 11.

2 A. Okay.

3 Q. Is that Dr. Kimmerer's article?

4 A. Yes. Let me ver -- yes, yes, that is.

5 MR. GONZALEZ: Your Honor, we move Exhibit 11 into
6 evidence. It's the entire article.

7 THE COURT: Any objection?

8 MR. EDDY: No, Your Honor.

9 THE COURT: Exhibit 11 is received in evidence.

10 (Metropolitan Plaintiffs' Exhibit 11 was received.)

11 BY MR. GONZALEZ:

12 Q. Doctor, can you tell the Court just briefly what Dr.
13 Kimmerer examined in that article?

14 A. He examined all sorts of things about the sum of the
15 species in the San Francisco Estuary and associated Delta.

16 But in particular, what I was looking at is he looked
17 at the delta smelt. And he looked at the -- their abundance
18 or index of their abundance in relation to factors that might
19 be affecting that through time.

20 Q. And what conclusions did he reach?

21 A. Well, see it's showing up on the screen.

22 Q. I thought I'd help you --

23 A. Abundance of delta smelt did not vary with X2.

24 Q. Consistent with Dr. Deriso?

25 A. Yes.

1 Q. In your view, was Dr. Kimmerer's article prepared in a
2 reasonably scientific way?

3 A. Yes.

4 MR. GONZALEZ: For the record, Your Honor, I'd like
5 to read just two lines out of Dr. Kimmerer's report.

6 It says, "Abundance of the delta smelt did not vary
7 with X2. Despite the evident increase in the amount
8 of habitat, delta smelt abundance appears to be
9 regulated by other factors so far unidentified."

10 Q. Doctor, are you aware of any published study or analysis
11 that, in your view, finds in a scientifically reliable way
12 that the location of X2 can have an impact on the population
13 of the delta smelt?

14 A. I am -- no, I am not.

15 Q. Let me ask you just a few questions about Mr. Feyrer's
16 work. In your view, doctor, can a reasonable scientist rely
17 on Mr. Feyrer's work to conclude that the location of X2 will
18 impact the delta smelt population?

19 A. No.

20 Q. And why not?

21 A. He didn't really address the key question there.

22 Q. Which was?

23 A. Just that, does -- is the -- the numbers for population
24 size of delta smelt, as we vary that through time, is that
25 affected by the location of, in this case, fall X2. He looked

1 at other things, he -- several issues of what he looked at.

2 One, you have the fall midwater trawl data, which is
3 counts of what they capture, they capture at the stations. So
4 that gives you some idea of index to abundance of those
5 stations they look at. But they were not looking everywhere,
6 which is one issue.

7 What he then did with it is collapse it down just to
8 presence or absence. So that starts to lose information on
9 the actual abundance. It does give you information about
10 spatially where they are, if you show some differences going
11 on there.

12 What he then did was try to relate that back to
13 things that are tied to habitat. But he only looked at
14 abiotic issues, namely a measure of salinity and temperature
15 and a measure of turbidity, the Secchi depth.

16 So those three things, which are abiotic, they do not
17 capture all aspects of habitat. So to turn around and say,
18 well, I looked at -- I have an index of habitat, it's not what
19 I would want to think of as a proper index of habitat by
20 virtue of leaving some things out.

21 But then, that was fit -- that was created by fitting
22 the presence/absence data to those factors to see if,
23 indeed -- and they do -- influence where you catch things in
24 the trawl. So you can say that the data presence/absence are
25 affected by some of these things, which is telling you yes.

1 The smelt would be certain places rather than others based on
2 some factors.

3 Then he went -- and trying to relate this to spatial
4 area, each stations' considered to be associated with an area.
5 There's some uncertainty about those areas. And this created
6 the habitat quality index, so there's several steps that went
7 on there.

8 And then, as I recall, having gotten that, and
9 relating that to presence or absence, he said that that
10 habitat index was correlated to some extent with X2.

11 So you have this sequence of steps from actual
12 abundance index, down to just presence, to relating to abiotic
13 variables and a correlation with X2. When the actual question
14 to me would be that I would want to look at is what is a
15 reliable estimate of population size or index to total
16 population size, everywhere, and how does that relate over
17 time to X2.

18 Finally, something else that was not done there
19 that -- which really should be done is a statistical analysis
20 of data when you're making an inference -- is there was no
21 assessment of the uncertainty of these things as he went
22 along. No -- nothing associated with the final result, which
23 is in the spirit of an uncertainty of that thing, a margin of
24 error is what we -- people call it in human surveys. But when
25 you're making statistical inference from data, it is certainly

1 expected in good science that you will quantify the
2 uncertainty of your inferences as well.

3 Q. Let me break that down.

4 A. Okay.

5 Q. You said he didn't look everywhere.

6 A. Well, the data do not come from everywhere the smelt are.

7 Q. And why, in your opinion, is it important to use data from
8 everywhere where the smelt are?

9 A. This is to be a population level assessment. The entire
10 population. Not a fraction of the population. If there's
11 significant portions of the population that are outside of the
12 sampling that aren't represented, then your inferences don't
13 cover them. And as we're finding out, there seem to be some
14 quite upstream at areas where X2 would not affect them.

15 Q. And then you said he looked only at presence/absence.

16 A. Yes.

17 Q. Why is that an error in your view?

18 A. We want to know about abundance here. How is X2 or any
19 other factor affecting the abundance. And spatial
20 distribution, whether present or not present, does not
21 adequately index total abundance.

22 When you go the way of -- if they're absent, you
23 know, there's nothing -- you didn't catch anything. If you
24 caught something, you reduce that to just, yeah, I caught
25 something. Well, did you catch one or did you catch 10 or 20?

1 How many? What's the actual abundance? Not just the
2 distribution.

3 Q. Then you said he looked only at abiotic factors. What are
4 the biotic factors that, in your view, he should have but did
5 not consider?

6 A. Well, these are primarily -- I'm not a fish biologist.
7 These are primarily what the delta smelt eats and what eats
8 it. So your prey and avoiding being predated on. This
9 seems -- this is certainly a very important matter.

10 Q. Why?

11 A. Well, if you don't have anything to eat, you don't live
12 very well. And if something eats you, you don't live and
13 reproduce.

14 Q. All right. Are these two things that, in your view, were
15 pretty obvious that should have been included in this
16 analysis?

17 A. Yes.

18 Q. And then you said "no assessment regarding uncertainty."
19 Is that referred to as uncertainty analysis?

20 A. Well, yeah, it's just standard when you're fitting data to
21 models and there's uncertainty about the inferences, to
22 quantify some measure of how uncertain that is.

23 Q. And by not doing that, was he compounding the error every
24 time he looked at different data?

25 A. Well, these several steps that go on, there's uncertainty

1 in each step and sensitivities in each step. And there was no
2 assessment of those issues.

3 Q. So should there have been a margin of error analysis at
4 each step along the way?

5 A. That would be preferable. And -- but what you really want
6 is at the last step.

7 Q. And why is that so important?

8 A. So we know how well estimated these relationships are
9 between even the abiotic factors, the salinity issue and the
10 turbidity as indexed by Secchi depth. Because some
11 significant relations were found, but we really don't
12 know -- well, actually, I have to back up.

13 I don't know if they were significant or not in terms
14 of the standard way of proceeding to doing that, of putting a
15 measure of uncertainty on this. And if you like, people are
16 familiar with this whole P-value concept, a measure of
17 significance underlying, it was not associated with it.

18 Q. Doctor, just a couple more questions.

19 Even if we assume for purposes of argument that the
20 location of X2 could impact the delta smelt, is there any
21 information in the BiOp and Mr. Feyrer's reports, or in any of
22 the declarations that the government has filed that you've
23 looked at, that a reasonable scientist could rely on to
24 determine where X2 should be located?

25 A. I think not.

1 MR. GONZALEZ: Thank you. That's all I have, Your
2 Honor.

3 THE COURT: Let me ask Dr. Burnham. How important,
4 in the process that you have described, is this aspect of
5 population that you talked about?

6 THE WITNESS: I would say that's very important.
7 We're talking about the delta smelt as a species, as a
8 population of them there. And we're interested in population
9 level effects.

10 To me, when you talk of jeopardy of possible
11 extinction, it's extinction of all of them. Wouldn't be
12 extinction of them low down if there's some segment of the
13 population which is not affected by X2 or whatever and
14 wouldn't be in jeopardy of going extinct, that's pretty
15 important to know. In a normal population assessment, we want
16 to consider the entire population and what is happening to it.

17 THE COURT: Is that something that, in the regular
18 course of the practice of the science, you are discussing that
19 agencies that are examining these issues of survival and of
20 jeopardy, is that normally done, a population assessment, for
21 a species?

22 THE WITNESS: Yes. Because you're talking of
23 jeopardy to a species. So the inferences are to the entire
24 species.

25 THE COURT: And do you recognize the term "life cycle

1 model"?

2 THE WITNESS: Yes.

3 THE COURT: What does that mean to you? Or how do
4 you understand the term?

5 THE WITNESS: It's in substance population dynamics.
6 Meaning we deal in this idea of the population dynamics of
7 what affects survival through time, what affects the
8 reproduction of these things. And in, say, the owls, we don't
9 use the word "life cycle" because a given pair of owls can
10 live many years and produce young many, many times.

11 In fish, in this case in particular here and
12 salmonids, if the fish basically only has one opportunity to
13 reproduce, like salmonids, we talk of life cycle. Your cycle
14 is egg to juvenile, to adult, to reproduction and that's it
15 for you. So life cycle, to me, just means a special type of
16 instance of population dynamics modeling.

17 THE COURT: Is this, then, information that is or is
18 not necessary to the analysis that we're doing here?

19 THE WITNESS: I think it's necessary to the analysis
20 that's going on here, yes.

21 THE COURT: And with regard to what you've read in
22 this case, did you encounter the opinion that there is not
23 enough population data for this species, the delta smelt?

24 THE WITNESS: I was going -- yeah, I'm surprised that
25 the amount of population data on the entire population is a

1 bit lacking. Yes, to do a good job of the assessment that's
2 going on, there should be better estimates of population
3 abundance or better indices of them, I think.

4 THE COURT: And what about the subject of the life
5 cycle model, did you determine whether or not, for this
6 species, if it is appropriate, it was or was not what you
7 would think was a reliable scientific life cycle model?

8 THE WITNESS: What seems to be the case, at the time
9 of BiOp -- I did not perceive it in there, but people have
10 been doing them since then, so progress is being made. That
11 has been mentioned before that you continue to improve these.
12 So that may happen. But we do have a useful -- some useful
13 life cycle models, I believe, on which key inferences can be
14 made.

15 THE COURT: Those are --

16 THE WITNESS: Those key inferences --

17 THE COURT: Those are temporally from what period of
18 time, the existence of those life cycle models that you think
19 are useful?

20 THE WITNESS: I mis -- I'm not quite sure what --

21 THE COURT: Well, if the world here is being measured
22 from December of 2010 -- I should say 2009, and we are talking
23 about an inquiry that commences in approximately 2005, 2006.
24 What did you note, if anything, with regard to life cycle
25 models in that time period?

1 THE WITNESS: Well, the life cycle models that have
2 been produced used data going back before then, which is fine.
3 And hopefully, as far as I know, they're going to be
4 applicable at this time in the near temporal future.

5 THE COURT: And in giving your opinion --

6 THE WITNESS: Yes.

7 THE COURT: -- that the X2 factor, if you will, does
8 not bear the scientific relationship to explain the effect the
9 location of X2 has on first the species itself and its
10 continued existence --

11 THE WITNESS: Well, the -- the abundance, I'm -- I'm
12 not looking as to whether it has any influence on spatially
13 where they are, but rather does the location of it in, say,
14 fall correlate with more or -- more of them or fewer of them
15 in the next year. Is it influencing the population dynamics?
16 And I'm only looking at the evidence other people have
17 presented. And I was asked to look at this because of the
18 statistical nature of it.

19 And presented to me was that we don't understand all
20 the statistics. Is this stuff okay? Is this standard stuff?
21 Is somebody fooling us? And no, the answer is very simple to
22 me, this is standard statistics. The methodology that has
23 been applied is legitimate.

24 And so to the extent, then, that the data are
25 appropriate and reliable, although could be a little better,

1 why then I'm willing to believe the results will come out.
2 They're the ones that come out with the results that say "we
3 found no evidence that X2 is a factor in affecting the
4 population dynamics."

5 THE COURT: Who's the "they"?

6 THE WITNESS: Huh? Pardon?

7 THE COURT: Who's the "they"?

8 THE WITNESS: Oh, like Kimmerer's article we just
9 looked at here or most recent one was MacNally, et al.

10 THE COURT: Well, what about the government agency's
11 scientists that find it's quite significant? In other words,
12 the location of X2, because they have made this one of the
13 foundations for the reasonable and prudent alternative to the
14 continued existence of survival and recovery of the species
15 and its habitat.

16 THE WITNESS: They seem to be relating -- I'm not
17 sure what they were doing. They seem to be relating location
18 of it to where the delta smelt are. This goes back to
19 the -- Mr. Feyrer's work, which you could take that and say it
20 seems to be affecting where they are. But that's not the same
21 as saying is it affecting how many there are and affecting the
22 population dynamics. Not where they are, but survival rates.

23 THE COURT: All right. And then what is the reason
24 that you say it does not affect the abundance?

25 THE WITNESS: It is the results given in these

1 articles that were mentioned, such as Kimmerer's brought into
2 evidence and the work of Maunder and Deriso. And quite
3 independent work of the very nice article recently by Ralph
4 MacNally and a whole bunch of other people, took a very
5 intensive look at some of this.

6 And they looked at all sorts of things which were --
7 from the data, could be said to be affecting the population
8 dynamics, and hence potentially or actually the abundance of
9 the delta smelt. And including X2. And they said we didn't
10 find any evidence in the data that X2 is affecting the
11 population dynamics, the numbers of them and the population --

12 THE COURT: And if it's possible, can you state what
13 the reason for that is? Or reasons?

14 THE WITNESS: Reasons, if I understand --

15 THE COURT: That there is no correlation --

16 THE WITNESS: Oh.

17 THE COURT: -- between X2 and the abundance of delta
18 smelt.

19 THE WITNESS: Why they would find that, I do not know
20 unless there's no relationship showing up in the data.

21 THE COURT: It is the data, then, that is the
22 explanation?

23 THE WITNESS: Yes. The inference is coming out of
24 the data. You can't easily just look -- you can't too easily
25 look at the data and make that inference. Although something

1 one could do would take the fall midwater trawl and take the
2 abundance index, which is computed from that, and for the same
3 year X2 -- and you might have to lag things a little bit, to
4 look at X2 last year, abundance next year.

5 But you're looking at this time series of abundance
6 index and X2. I looked hard in the BiOp, I didn't find that
7 direct comparison. Some of these other things I did not find
8 that fairly simple direct comparison. I would have done that
9 in addition to the population dynamics.

10 THE COURT: All right. Let's take the afternoon
11 recess. We'll stand in recess until 25 minutes after three.

12 (Recess.)

13 THE COURT: We're back on the record in the
14 consolidated delta smelt cases. And we're going to resume Dr.
15 Burnham's testimony.

16 Does any other plaintiff have questions for Dr.
17 Burnham?

18 MR. WILKINSON: No, Your Honor.

19 MR. SIMS: No, Your Honor.

20 THE COURT: Cross-examination.

21 MR. EDDY: Thank you, Your Honor.

22 CROSS-EXAMINATION

23 BY MR. EDDY:

24 Q. Good afternoon, Dr. Burnham.

25 A. Good afternoon.

1 Q. My name is Ethan Eddy, I'm an attorney with the United
2 States Department of Justice. I'm one of the lawyers for the
3 defendant federal agencies.

4 To start us off, Dr. Burnham, I believe you testified
5 you're being paid for your work in this case; is that right?

6 A. Yes.

7 Q. Does that include the time that you're spending in court
8 with us today?

9 A. Yes.

10 Q. Are you getting paid by the hour for that?

11 A. Yes.

12 Q. And what hourly rate are you charging?

13 A. 150 an hour.

14 Q. In addition to that hourly rate, are you being paid any
15 sort of other flat fee?

16 A. No. Just expenses.

17 Q. Is there any cap on your fee amount?

18 A. Yes, I believe the contract had a cap of 80 or \$90,000. I
19 haven't looked at it lately.

20 Q. Do you recall how much you've been paid so far for your
21 work in this case?

22 A. It's in the vicinity of 50,000, I think.

23 Q. Dr. Burnham, you wouldn't consider yourself a delta smelt
24 biologist; would you?

25 A. No, I am not.

1 Q. Do any of your many, many publications pertain to the
2 delta smelt?

3 A. No.

4 Q. Have you done any work at all about the delta smelt --

5 A. No.

6 Q. -- prior to this litigation?

7 A. No.

8 THE COURT: Wait for the question to be completed
9 before you answer.

10 THE WITNESS: Sorry.

11 THE COURT: Had you completed your question?

12 MR. EDDY: I had. And I think I heard the answer.

13 THE WITNESS: No.

14 BY MR. EDDY:

15 Q. No involvement prior to the delta smelt litigation?

16 A. No involvement.

17 Q. Earlier you testified you had received the Wildlife
18 Society Award; is that right?

19 A. They selected me for it, the formal presentation occurs at
20 the annual meeting this November.

21 Q. You don't have the trophy yet, you just know you're
22 getting it?

23 A. Yes.

24 Q. And the Wildlife Society, Dr. Burnham, that's an
25 organization that represents wildlife managers. It's not a

1 wildlife protection advocacy association like Humane Society,
2 is it?

3 A. It is -- let you finish.

4 Yes, it represents managers, but it especially
5 represents wildlife science.

6 Q. Thank you. And you testified -- or maybe it's in one of
7 your declarations, your signature line, that you live in the
8 City of Fort Collins in my home state of Colorado; is that
9 right?

10 A. Yes.

11 Q. Dr. Burnham, are there any estuaries in Colorado?

12 A. Certainly not very many. Well, if you mean in terms of
13 tied in with salt water, no.

14 Q. Just start with the basics.

15 Dr. Burnham, have you ever written a biological
16 opinion?

17 A. No.

18 Q. Have you ever made a jeopardy determination, as that term
19 is defined in Section 7 of the Endangered Species Act?

20 A. No.

21 Q. And you testified during your direct testimony, I believe,
22 regarding whether certain things caused harm or didn't cause
23 harm to the delta smelt. Is that correct?

24 A. I need a little clarification on that issue.

25 Q. I believe that you used the word "harm" in your direct

1 testimony today. At the outset of your direct testimony, you
2 were asked as a general matter whether enjoining the fall X2
3 action would, in your word, I believe, harm the delta smelt or
4 not.

5 A. Oh, yes.

6 Q. Do you recall that?

7 A. I did.

8 Q. Dr. Burnham, to your knowledge, is the term "harm" part of
9 the section -- of Section 7 of the Endangered Species Act?

10 A. I do not know.

11 Q. Have you studied estuary environments before, Dr. Burnham?

12 A. No.

13 Q. Well, based on your general knowledge of environmental
14 factors and biology, would you agree that it's a reasonable
15 ecological premise to expect that variation in river flow
16 would translate into variation in estuarine habitat
17 conditions?

18 A. That I do not know. It would presumably affect some
19 things. But habitat relates to animals. And I do not know to
20 what extent it affects their habitat.

21 Q. Let's take a look at a concrete example so that we can get
22 a little bit more specific here.

23 I'm going to ask you to assume -- for the sake of
24 this question, let's say we have a fish species somewhere on
25 the lower Colorado River, and then that river, over time,

1 completely dries up. And over time dams are built, no longer
2 reaches the Pacific Ocean, turns into a desert. Would you say
3 there's no change in the habitat there?

4 A. No, I would not say that.

5 Q. Dr. Burnham, have you reviewed the declarations filed by
6 Dr. Charles Hanson this summer in this case?

7 A. Yes.

8 Q. So are you aware that Dr. Hanson disputes the notion that
9 X2 is related to delta smelt distribution?

10 A. I do not recall that aspect of his declaration.

11 Q. Assuming that he had said that, would that be contrary to
12 the opinion that you just gave the Court before the break and
13 before I came up here, when the Court asked you whether the
14 literature had supported a finding that X2 was related to
15 distribution? Not abundance, but distribution.

16 A. I did not focus on that. I don't recall, although I was
17 willing in my thinking that it could, in fact, affect spatial
18 distribution. And that is a different question than whether
19 it is affecting numbers.

20 Q. Okay. But as far as the spatial geographic distribution,
21 I believe that's what the Court had -- that's what the Court
22 had asked you.

23 A. Yes.

24 Q. And --

25 A. They are fish, they can move.

1 Q. Let's take a look now at an exhibit that we're going to
2 hand out and pass to you. I think this has also been marked
3 by the plaintiffs, but not used by them yet. So we've marked
4 this as Defendants' Exhibit 514. Bring you a copy of that.

5 Let's wait a second while everyone's getting it here.

6 Taking a look at that first page there, Dr. Burnham,
7 does this appear to you to be one of the declarations of Dr.
8 Hanson that you reviewed dated June 16th, 2011?

9 A. Yes.

10 Q. All right. Let's turn now to page 19 of that document, if
11 you would, please. And you'll see there an image labeled
12 Figure 7.

13 A. Page 19. Figure 7?

14 Q. Figure 7 on page 19.

15 A. Oh, okay. There's two page numbers on this.

16 Q. Oh, I'm sorry. Let's use the page number at the bottom,
17 please.

18 A. Well, yeah, in the middle?

19 THE COURT: In the middle.

20 THE WITNESS: Yes.

21 BY MR. EDDY:

22 Q. Oh, I'm sorry, we've actually got three sets of page
23 numbers going here. So on the top one --

24 A. Oh, yes.

25 Q. -- it's page 20 and in the middle it's 19 and I don't know

1 what's on the --

2 A. Right.

3 Q. But Figure 7, you're with us.

4 A. Yes.

5 Q. Okay. And it may have been a while since you looked at
6 this, so if you need a minute to re-familiarize yourself with
7 this image and its discussion in paragraphs 22 and 23, please
8 do that. If you are sufficiently familiar with it, we can
9 proceed.

10 A. Okay.

11 Q. Okay. Ready?

12 Is this -- Figure 7, the label there at the bottom,
13 does that say "Estimated survival of delta smelt collected in
14 the CDFG FMWT" -- that's F as in Frank -- "and September to
15 October X2 location"?

16 A. Yes.

17 Q. I've got it up on the Elmo as well. This figure
18 represents the survival rates of the delta smelt population
19 over the period from December -- I'm sorry, from September to
20 December during the given years; is that correct?

21 A. Yes.

22 Q. So can you tell us, does each one of the points here, the
23 squares, the blue squares above the line for 0.0, represent a
24 year during which delta smelt abundance increased between the
25 months of September and December within a single year?

1 THE COURT: It says September-October. And if
2 December is on the graph, it isn't apparent.

3 MR. EDDY: Let me refer Your Honor and the witness
4 to -- looks like we've got two captions here. We've got the
5 Figure 7 label --

6 THE COURT: The upper caption.

7 MR. EDDY: I'm sorry?

8 THE COURT: The upper caption.

9 MR. EDDY: That's right, Your Honor.

10 THE COURT: All right. Refers to September-December.

11 BY MR. EDDY:

12 Q. So would you agree with that, Dr. Burnham?

13 A. I was studying this and listening. Agree with what?

14 Q. Let's go back to the question I just asked. So each one
15 of these points above the line on the Y axis, 0.0, do you see
16 that there? The line?

17 A. Yup.

18 Q. Each one of those points represents a year during which
19 delta smelt abundance increased between the months of
20 September and December within that individual year. Is that
21 right?

22 A. No. I would not agree with the way you stated it. These
23 are indices. This is what the index did. It's quite
24 variable. So I would not conclude that the abundance of the
25 actual smelt necessarily increased. The index varied quite a

1 bit. I would be a little reluctant to say that it necessarily
2 increased. Because there's quite a bit of potential
3 uncertainty associated with each one.

4 Q. Okay. So I think we've skipped ahead a little bit --

5 A. What I understand this to be is an index to survival in
6 this period of time September to December.

7 Q. And that's my understanding too. We're just trying to get
8 to the bottom --

9 A. Yes.

10 Q. -- if we can, of what this figure indicates.

11 And in your opinion, does each of these little boxes
12 above the line 0.0 represent that delta smelt abundance
13 increased during the period September to December? I'm not
14 asking you whether that's actually the case, just asking you
15 if it's your understanding that that's what this image
16 displays.

17 A. It would be better to ask the person who produced it. But
18 as far as I can tell, yes.

19 Q. Dr. Burnham, do delta smelt reproduce between September
20 and December?

21 A. No. Well, not as far as I know. I'm not a fish person.

22 Q. Are you aware of any reports of delta smelt having been
23 born after the month of June?

24 A. No. I'm not aware of any of that, no.

25 Q. Are you aware of any evidence that delta smelt can

1 asexually reproduce?

2 A. No.

3 Q. So every data point above this line is biologically
4 implausible; is it not?

5 A. If you took that as truth, yes. But there's quite a bit
6 we know. I know there's a huge uncertainty in these estimates
7 from the data. And what you need -- I'm going to get ahead of
8 you here -- or maybe you don't want me to go there. But what
9 these --

10 Q. I think I'll --

11 A. What you do with these is look to see if there's a trend
12 associated with where X2 is. And that's where it's relevant
13 that the line that sits there and fits to these is essentially
14 flat. And there's no evidence that there's a pattern here of
15 a slope to that line, depending on where X2 was.

16 What he's looking to see is does an index to survival
17 in that time period show an impact of where X2 does. And to
18 me, this thing showed that there was no such effect of X2.

19 Now, the estimates of indices of survival are quite
20 variable. I would not look at those in particular, but rather
21 the entire pattern in relationship to whether there's a trend
22 in this in terms of X2.

23 Q. Okay. So generally speaking, the data points on a plot
24 like this are what is driving the trend or lack thereof, or
25 shape of the fitted line; is that right?

1 A. The slope.

2 Q. And didn't you just testify that each one of these points
3 above .0 is biologically implausible?

4 A. If they were true values, but these are estimates subject
5 to quite a bit of uncertainty. That's why I would focus on
6 the line rather than individual points.

7 Q. Okay. But let's say that we removed all of the
8 biologically implausible data points above the 0.0. Would the
9 shape of this line change?

10 A. My gut reaction is I don't think so.

11 Q. And you testified just a second ago that these are index
12 variable indices with high variability within each one of
13 those squares?

14 A. Yes. And they are indices as such. You would not
15 interpret them as actual population size and I would not
16 interpret them as an inference of things necessarily actually
17 increased in any meaningful sense. I would do what was done
18 here, get one for each year. And I'm looking to see if
19 there's a distinct pattern associated with where X2 is.

20 It's not unusual in statistics in some cases like
21 this to get estimates of things that are implausible, but it's
22 still -- they still become useful to look at the patterns you
23 get with them.

24 Q. Even if certain values are biologically implausible?

25 A. Yes.

1 Q. Okay. And given the variability and the implausibility,
2 in paragraph 23. Does Dr. Hanson nonetheless conclude that
3 this data, Figure 7, show no apparent evidence of a trend of
4 increased delta smelt survival during the fall months in
5 response to either fall X2 location or the estimated area of
6 X2 for delta smelt presented in the BiOp? And that's the
7 first -- that's the second sentence there.

8 A. On which page?

9 Q. 20. Paragraph 23.

10 A. Paragraph 23. Would -- okay, I'm not sure what you're
11 asking in relation to that.

12 Q. For now I'm just asking if I've read that sentence
13 correctly into the record.

14 A. Well, I'll assume you've read it correctly.

15 Q. I can do it again. So why don't we --

16 A. Sure, read it again.

17 MR. GONZALEZ: I'll stipulate you read it correctly.

18 MR. EDDY: Thank you, Mr. Gonzalez.

19 THE WITNESS: Okay.

20 BY MR. EDDY:

21 Q. So here, Dr. Hanson is drawing a conclusion about the
22 actual population; is that correct?

23 A. The sample population. But he's drawing a conclusion from
24 the stations where data were available.

25 Q. And he's made a conclusion as to survival, has he not?

1 A. Yes.

2 Q. Dr. Burnham, is salinity considered an abiotic habitat
3 factor?

4 A. Yes.

5 Q. Are you familiar with any laboratory studies that have
6 been undertaken to determine what water temperatures are
7 lethal to delta smelt?

8 A. I'm not familiar with them. I have seen comments about
9 the issue, but I'm not familiar.

10 Q. And from those comments, are you aware, generally, that
11 those -- that those findings exist?

12 A. I know that there's -- at some too high of temperature,
13 the smelt don't do well.

14 Q. Okay. And are you familiar with the laboratory studies
15 that have been undertaken to determine what salinity levels
16 are lethal to delta smelt?

17 A. No. I am not. I've wondered if there were such studies,
18 but I have not seen the literature on them.

19 Q. But you didn't undertake to find that literature?

20 A. No, what I was asked to do here did not have any relation
21 to that issue.

22 THE COURT: You know, while there's a break, can you
23 explain whether or not there is a slope to the survival index
24 back in this figure that you've been looking at that is
25 in -- it's actually referred to as Figure 7 on page 19 of

1 Exhibit 514.

2 In other words, you said that there would be a slope.
3 And these are points, data points, that are indices as you've
4 described them. So is that a salinity index we're looking at?
5 What is it?

6 THE WITNESS: No. Well, to me, this is -- you have a
7 position where X2 has been in a given year, for
8 September-October. You have monthly data. You look at this
9 monthly data to -- from it to get an index of survival. And
10 it's a quite variable index.

11 But I'm looking at the swarm of points over the whole
12 years to see whether or not one -- I have those swarm of
13 points. And if I would fit a line to them as a function of
14 X2, which is the line here. Does that have a distinct slope,
15 which would mean, then, that their survival is being, in those
16 months -- the inference would be their survival is being
17 affected by the location of X2. It is an index to survival.
18 We cannot infer reliably actual survival from this.

19 But to the extent it's an index, I can infer whether
20 or not X2 seems -- its location seems to have an effect on
21 survival. If it did, I would expect a very distinct slope on
22 this line, which is fit and it's practically flat. There's
23 some things he did not do here, is give me a -- it gives a
24 formula down here, gives me an standard error on that.

25 But I know something about statistics and these data

1 points and that line and I can tell you that that slope would
2 not be significant, it would not be an inference of the impact
3 of X2 location on survival. That's the inference I would draw
4 from this. And it would not be affected by the fact that some
5 of these points, if you try and interpret in some literal
6 sense, would be impossible.

7 THE COURT: And are we looking at the line that
8 roughly starts right around 65 and ends at 90?

9 THE WITNESS: Yes.

10 THE COURT: And so --

11 THE WITNESS: Somewhat --

12 THE COURT: That is relatively a horizontal line.

13 THE WITNESS: Pretty much. He does give a formula
14 for it at the bottom, $Y \text{ equal that negative } .02$. The
15 thing -- the thing to look at, aside from -- if you don't see
16 the -- you don't realize it, that wide set of points, spread
17 of points, would not correspond to a significant slope is to
18 look at the R-squared he gives at the bottom. R-squared
19 equals .0019. That would not be a significant slope.

20 THE COURT: You may continue.

21 BY MR. EDDY:

22 Q. Let me followup on the Court's questions, if I could.

23 So let's go -- let's keep talking about Figure 7
24 here. Is it your understanding, Dr. Burnham, that for each of
25 these squares above 0.0, that indicates that the delta smelt

1 population increased from September to December within a given
2 year?

3 A. It indicates that the data, which are quite variable from
4 month-to-month, were apparently showing the -- the index was
5 showing a larger later on than earlier on.

6 Q. Is that a yes?

7 A. I think so.

8 Q. Okay.

9 A. I'm interpreting this in a statistical manner, which might
10 not be the same way you're interpreting it.

11 Q. Dr. Burnham, does the quality of the data that we see here
12 have any bearing on the validity of a statistical test?

13 A. In general, yes.

14 Q. So how can we test survival using survival estimates here
15 that you testified were biologically implausible and that you
16 testified represent that the delta smelt population, despite
17 having no reproduction during these months, increased?

18 A. I would not make the inference that they increased. It
19 was the data, which numbers increased. Those are sample data.
20 Several stations. And that can happen, in particular if the
21 numbers don't decrease by very much. If they're relatively
22 stable, that could happen.

23 Q. All right. Let's put aside Figure 7.

24 A. And what comes to my mind, there's been a lot of banding
25 data. If you take the survival --

1 Q. I'm sorry. I'm really sorry to cut you off, sir, but I
2 don't think there's a question pending right now.

3 A. Okay.

4 Q. Before the Court's questions, I believe that you had
5 testified that you had not reviewed studies regarding what
6 salinity levels are lethal to the delta smelt because you
7 didn't think those were relevant to the work that you had been
8 asked to undertake; am I remembering that correctly?

9 A. That is correct. I was asked to look at certain things.
10 I was never asked to get involved in that.

11 Q. Okay. Putting that aside for the moment, can you at least
12 tell us whether, based on what you have read, would the delta
13 smelt be able to live in the Pacific Ocean?

14 A. I don't know. The things I've read -- hmm. Nobody's
15 looked for them there, so I don't know.

16 MR. GONZALEZ: There's probably a whole bunch out
17 there.

18 THE WITNESS: Actually, I don't know that anyone's
19 looked for them there.

20 BY MR. EDDY:

21 Q. So is it your testimony, then, that it's possible for
22 delta smelt to live in the Pacific Ocean?

23 A. No, I have no testimony about such things. I am not a
24 fisheries biologist.

25 Q. You're just not sure?

1 A. I'm not sure.

2 Q. Okay.

3 A. Not my area.

4 Q. All right. Now let's take a look at your reply
5 declaration for a second. And that was conditionally admitted
6 into evidence earlier as Plaintiffs' Exhibit 4, which I think
7 is up there in your tabbed binder. If you would, please, sir.

8 A. Yes.

9 Q. And let's take a look at paragraph 13 of that, which is on
10 page 5. And I think that's page 5 in all the versions of the
11 pagination here.

12 Are you there on the page?

13 A. Yes.

14 Q. And taking a look at paragraph 13.

15 A. Yes.

16 Q. You state there, in that second and third sentence, do you
17 not, that there is simply no scientific support for the
18 position that, quote, "abiotic habitat factors are the
19 underlying foundation that determines where an organism can
20 live and reproduce," close quote.

21 And the quotation there, you've attributed to Mr.
22 Feyrer. Is that right?

23 A. I see on line 19 saying there's simply no support. You
24 mean what's in quotes before that?

25 Q. Right. So on -- starting at the beginning of line 18.

1 A. Right.

2 Q. You've got a quote there from Mr. Feyrer, stating that
3 abiotic habitat factors are the underlying foundation that
4 determines where an organism can live and reproduce.

5 A. Yes.

6 Q. And thereafter, you opine, do you not, that there is
7 simply no scientific support for this position?

8 A. As far as I know, there isn't. You have to have the
9 biotic as well. It's a conjunction of all of the things they
10 need.

11 Q. Okay. So is it your testimony, then, that if an area is
12 so salient that it would be lethal to delta smelt, that those
13 dead fish could nonetheless live and reproduce in that area?

14 A. You lost me.

15 Q. Not pointing you to anything in your declaration. Just
16 asking you for your opinion.

17 A. What? Pardon me?

18 Q. Let me read that again.

19 Would it be your testimony, then, in light of the
20 representations that you've made on lines 18 and 19 of your
21 declaration, that let's say there's an area that is so salient
22 that it's lethal to delta smelt.

23 Given your testimony here in your declaration, from
24 that, would you then conclude that those dead fish could
25 nonetheless live and reproduce in that area? Because you

1 testified --

2 A. No, no, I --

3 Q. -- that --

4 A. No. I don't understand where you're going with that in
5 the sense that I would claim that they need both biotic and
6 abiotic. They certainly wouldn't be in some place that's too
7 salient for them. Dead fish do not reproduce.

8 Q. Okay. Thank you. Now let's take a look at paragraph 15
9 of that same document, if you would for me, please.

10 I'm sorry, I think I've given myself the wrong
11 paragraph number here. Bear with me just one moment, if you
12 would, please.

13 A. Yes.

14 Q. All right. Well, I'm going to skip over this rather than
15 waste the Court's time. Actually, let's get at this a
16 different way. Earlier you testified that you were familiar
17 with the Maunder and Deriso life cycle model; is that correct?

18 A. A little bit, yeah, I read the stuff earlier on when they
19 had a draft. And I looked at a little bit of the published.
20 But I'm not trying to absorb all the details of what they did.

21 Q. Are you aware, from the acquaintance that you do have with
22 this document, that those authors found that prey availability
23 has a statistically significant effect on smelt abundance?

24 A. Yes.

25 Q. And that given this evidence, the authors concluded that

1 it seems more likely that smelt are limited by abiotic aspect
2 of their habitat, namely food supply, rather than salinity,
3 which is an abiotic factor.

4 A. Yes. I recall that.

5 Q. Now I'd like to refer you, please, sir, to what I believe
6 is in your binder as tab 9. Plaintiffs' Exhibit 9.

7 A. 9. Okay.

8 Q. Okay. And this is the MacNally et al. 2009 study; is that
9 right?

10 A. Yes.

11 Q. And I believe you testified in your direct that you were
12 familiar with this study; is that right?

13 A. At some level, yes. It's a very elaborate bit of work,
14 but I have read it, yes.

15 Q. Are you aware that this study found food supply to have a
16 relatively small effect on delta smelt abundance?

17 A. I do not recall the conclusions they made. I won't look
18 at that. I do not remember all of the conclusions they made
19 in those regards.

20 Q. Okay. Well, let's -- let me help you out here. If you
21 could turn to -- it's in the top right of the journal
22 pagination, it's 1425. That's really a tiny font there.

23 A. Yes, yes, uh-huh.

24 Q. And there's kind of a web looking thing there.

25 A. Uh-huh. Yes.

1 Q. Okay. And have you seen this image before?

2 A. Yes, yes. I have.

3 Q. Okay. Is it your understanding, based on this image and
4 the level of familiarity that you have with this study, that
5 the authors found food supply based on what you're seeing
6 here, namely the lines between the boxes.

7 A. Uh-huh. Yes.

8 Q. That food supply has a relatively small effect on delta
9 smelt abundance.

10 A. I'm looking at the two parts where they found stronger or
11 weaker evidence, and looking for lines to delta smelt.

12 Q. And take your time --

13 A. That's correct. They do not -- from interpreting what
14 they put in that figure, I don't see any line saying -- well,
15 I'm having to interpret what foods would be for them because I
16 don't know, but what seems to be food, they don't seem to show
17 any --

18 Q. Okay. Thank you.

19 A. -- particular lines going to it strongly.

20 Q. And now let's go to the next exhibit in that binder, if
21 you would for me, please, which has been --

22 A. Oh.

23 Q. -- admitted into evidence as Plaintiffs' Exhibit 10.

24 A. 10. Uh-huh.

25 Q. And does this appear to be the 2000 study by Thomson or

1 Thomson et al.?

2 A. Yes.

3 Q. And I believe you testified earlier, did you not, that you
4 had reviewed this study?

5 A. I remember reading it because I remember the Bayesian
6 change point part of it.

7 Q. Okay.

8 A. But this one's been a little longer since I looked at it.

9 Q. Okay. Are you aware that this study found food supply to
10 not have a statistically significant effect on delta smelt
11 abundance?

12 A. No, I don't recall that.

13 Q. You don't recall.

14 A. No. Because as I said, it's been a little longer since I
15 looked at this.

16 Q. Okay. But it's not your testimony, is it, doctor, that
17 this study demonstrates that there is a link between food
18 supply and delta smelt abundance?

19 A. Which study?

20 Q. Thomson, et al., 2010.

21 A. I don't recall.

22 Q. But you did testify on your direct examination that you
23 had reviewed this study?

24 A. I've read it, but not real recently. And all these other
25 things being read.

1 Q. Okay. Well, let me represent to you, for the purpose of
2 this next question, that, in fact, the Thomson et al. study
3 did not find a statistically significant relationship between
4 food supply and delta smelt abundance.

5 So with that in mind, would the findings of the
6 MacNally study, which we just looked at with the webs and what
7 I just told you about the Thomson 2010 study, does that
8 essentially make the opposite finding of the Maunder and
9 Deriso life cycle model?

10 A. Not directly opposite. It did not -- I would say then it
11 did not support what Maunder and Deriso found.

12 Q. Thank you. Let's go back, if you would, to Plaintiffs'
13 Exhibit 2, please.

14 A. How do I do this?

15 Q. I'm sorry, I know it's a lot of flipping. Luckily we
16 don't have too many paper cups up there. I'm going to get
17 some water while you do that.

18 A. Oh, Exhibit 2. Okay.

19 Q. Yes, please. And page 7 of that, please.

20 A. Pardon?

21 Q. Page 7, if you would, please.

22 A. Page 7. Okay.

23 Q. All right. Let me find you the line number here so I can
24 give you the exact quote.

25 Okay. I've found it. It's at line 13, if you would,

1 please.

2 A. Okay.

3 Q. I'm going to read it to you and then ask you if I've read
4 that into the record correctly. Did you testify there that

5 "For delta smelt, variation in habitat quality can
6 occur with variability in availability of food,
7 shelter from predators, substrates for spawning, and
8 a large number of physical variables, including
9 salinity, turbidity and temperature."

10 Have I read that correctly, sir?

11 A. We're on page?

12 Q. Page 7, and that's lines 13 through about halfway --

13 A. Oh, line 13. Okay.

14 Q. Line 13. Yes.

15 A. I'm still not getting the same words you --

16 Q. Okay. Let's go through it together. We're on page 7 at
17 line 13.

18 A. Yes.

19 Q. The second word in starts "For delta smelt," comma. That
20 was a question.

21 A. Huh? I must be on the wrong thing here.

22 Q. Exhibit 2.

23 A. This is Exhibit 2?

24 Q. At the top of that page, do you see a date of June 16th,
25 2011?

1 A. Yes.

2 Q. And -- okay. This may be a page number issue again. I'm
3 sorry. I've done it again.

4 At the top there, I'm looking at page 9. It's 7 at
5 the bottom right.

6 A. Yeah, I was -- yeah.

7 Q. All right. I'm sorry, that's my fault. So we're looking
8 at page 9 for the top numbers and page 7 for the bottom
9 number.

10 A. Right.

11 Q. Line 13.

12 A. Line 13. Oh, yes. Okay.

13 Q. "For delta smelt," comma. We're there?

14 A. Yes.

15 Q. "For delta smelt, variation in habitat quality can occur
16 with variability in availability of food, shelter
17 from predators, substrates for spawning, and a large
18 number of physical variables including salinity,
19 turbidity and temperature."

20 Have I read that correctly?

21 A. Yes, yes.

22 Q. Okay. And in the materials that you prepared for this
23 case, did you, yourself, test whether food, shelter from
24 predators, substrates for spawning or a large number of
25 physical variables vary with X2 during the fall?

1 A. No.

2 Q. Okay.

3 A. This is, of course, in the spirit of a motherhood
4 statement based on the biology I know that all of these things
5 could have an effect.

6 Q. But you would not be able to tell the Court definitively,
7 based on your own work, whether those factors do, in fact,
8 vary with X²; is that right?

9 A. I -- that's correct.

10 Q. Okay. And now, let's go to paragraph 34 of that same
11 document.

12 A. Paragraph 34?

13 Q. Paragraph 34. And if you're using the top set of page
14 numbers, it says 16 of 21, please.

15 A. Yes.

16 Q. Okay. And in paragraph 34, you opine, do you not, that
17 that correlation or coincidence is not causation?

18 A. Yeah.

19 Q. And then I believe you raise this comment in the context
20 of critiquing the use of linked correlations in the Feyrer
21 study; is that correct?

22 A. Yes. I think so.

23 Q. But isn't the Maunder and Deriso model also a set of
24 correlations between different data sets?

25 A. Between different data sets. In a sense, yes, although

1 these analyses we do with data and models are in and of
2 themselves some of the associations between data.

3 Q. And given what you said here, at the end of paragraph 34,
4 would you agree, then, that Dr. Deriso's life cycle model has
5 not proven which particular variables cause changes in delta
6 smelt abundance?

7 A. I would say it provides evidence for that, we never
8 actually prove anything in some strict sense.

9 Q. Thank you. And now let's go, back again, to your July
10 declaration, which I think is Exhibit 4.

11 And have you got the tab?

12 A. Yes. That is, yes.

13 Q. And please turn to paragraph 17.

14 A. Okay.

15 Q. This is page 7 of 49 using the top numbers.

16 Do you see paragraph 17 there?

17 A. Yes. Paragraph 17.

18 Q. And you opine there, Dr. Burnham, do you not, that delta
19 smelt have been found in the Sacramento deep water shipping
20 channel?

21 A. That's my understanding, yes.

22 Q. Okay. And that the deep water -- is it your understanding
23 that the deep water shipping channel constitutes delta smelt
24 habitat?

25 A. From what I'm hearing and reading, yes. If they're there

1 on a regular basis, yes.

2 Q. So is it your understanding that where an organism exists
3 is then its habitat?

4 A. If it can exist there -- if it's actually living there for
5 a while, yes.

6 Q. But it's possible that an organism could inhabit an area
7 in a transitory fashion --

8 A. That's what was in the back of my mind. You have to be
9 careful, if they're just moving through an area.

10 Q. Okay. Like a deer crossing a road?

11 A. Yeah.

12 Q. The road is not the habitat.

13 A. Yes.

14 Q. Okay. And is there any data on what -- are you aware of
15 any data on what the delta smelt food supply situation is like
16 in the deep water shipping channel?

17 A. I'm unaware of anything about that.

18 Q. Do we -- is there data to suggest -- are you aware of data
19 to suggest that there's a permanent year-round population that
20 lives -- of delta smelt that lives all of its life stages in
21 the shipping channel?

22 A. I am not aware of that, no.

23 Q. Is it possible that the delta smelt that had been found
24 there come and go there from other parts of the estuary?

25 A. It's possible, yes.

1 Q. For this next question, I'd like you to assume that all of
2 the delta smelt in what I would call the main part of the
3 estuary or outside the shipping channel, had been wiped out.

4 Do we have enough information right now, in the
5 public domain, to know if the smelt that have been found in
6 the shipping channel are a large enough group to persist and
7 avoid extinction?

8 A. I do not know anything about that.

9 Q. To your understanding, is the shipping channel a narrow
10 channel?

11 A. I know the name of it and I know roughly where it is, but
12 I don't know that.

13 Q. Have you seen it yourself?

14 A. No, I have not physically seen it.

15 Q. Is it your understanding that this is a manmade structure?

16 A. By the virtue of the name of it, yes.

17 Q. Okay. And by the virtue of the name --

18 A. And it is --

19 Q. Would you also --

20 A. -- very straight.

21 Q. I would agree with that. Looking here at the map, it
22 appears to be the straightest part of what you see there in
23 blue.

24 By virtue of the name, would you assume that the Deep
25 Water Shipping Channel is deep water?

1 A. By virtue of the name, yes.

2 Q. Okay. Just leave it there.

3 Dr. Burnham, would a group of delta smelt in that
4 channel, the straight channel there, be more vulnerable to an
5 oil spill or a pesticide leak or something like that from
6 maybe one of the boats in the shipping channel than to a smelt
7 population that lives in an area of the Delta where it can
8 access various parts of the estuary?

9 A. One might speculate, but I won't speculate. I don't know
10 what the probabilities are if such problems occur.

11 Q. So just not sure?

12 A. I'm not sure. I -- this whole thing about delta smelt
13 biology is not my area.

14 Q. Okay. Fair enough. And in your direct testimony today,
15 you criticized Mr. Feyrer and his study, did you not, for not
16 including data regarding delta smelt presence in the shipping
17 channel, if I remembered that correctly.

18 A. Yes.

19 Q. Okay.

20 A. Probably.

21 Q. But isn't it true that the smelt presence data for the
22 shipping channel have only recently started to be collected?

23 A. As far as I know, yes.

24 Q. Okay. And, in fact, that was after the Feyrer 2007 study
25 was published; is that right?

1 A. I believe so.

2 Q. Okay. So you criticized Mr. Feyrer for not using data
3 that did not exist?

4 A. I don't know the exact word. My critique was that failure
5 to mention anything about the fact that there are -- then
6 become known delta smelt that exist somewhere other than where
7 the data he used were collected.

8 Q. Just out of curiosity, Dr. Burnham. I know that earlier
9 you testified that you had read the BiOp. And I think you
10 said you had -- you had examined it carefully or something to
11 that effect. Is that -- am I remembering that right?

12 A. I'd like to clarify that. The BiOp is like 400 pages. I
13 read the parts of it that are relevant to this X2.

14 Q. Okay. Good enough.

15 A. And those I have looked at, yes.

16 Q. Did you read the effects analysis of the BiOp? Or just
17 the X2 part at the end? Do you recall?

18 A. There was a limited number of pages about X2, so I can't
19 tell you, by virtue of the title you said. I don't recall if
20 I read that or not.

21 Q. Okay. Have you read any of the biological assessment that
22 was prepared as part of the 2008 biological opinion?

23 A. I probably looked at some of that at one time. But that
24 was a quick look over, not a focused look at that.

25 Q. Okay. And that's an even bigger document, I will

1 represent for the record.

2 Okay. Dr. Burnham, when we were introduced to you
3 today, you testified that you had published many papers and
4 journals before; is that right?

5 A. Yes.

6 Q. Okay. And as part of that, are you aware that there's a
7 peer review process that happens generally?

8 A. Oh, yes, yes.

9 Q. Can you explain for us briefly what the purpose of that
10 peer review process is?

11 A. It is to attempt, to the extent possible, to maintain the
12 quality of things that are published, to see that they are not
13 on the face of it erroneous, to see that they have not been
14 previously published somewhere without knowing about it. And
15 also, actually, to see whether or not a peer reading it can
16 understand it or whether it's written in such a difficult to
17 understand manner that it should be revised and clarified.

18 Q. Okay.

19 A. But the key thing was, indeed, correctness of what is
20 done.

21 Q. Okay. And let me back up for a second, Dr. Burnham.

22 Your testimony today focused on populations of delta
23 smelt; is that right?

24 A. Yes. In -- well, focused on the X2 action and, in fact,
25 from my point of view, I was looking at evidence -- what other

1 people have done in terms of evidence about what the effect
2 may or may not be of the X2 action on the population of delta
3 smelt. That was my focus.

4 Q. Okay.

5 A. And so it's what other people have done.

6 Q. Okay. But you've offered no evidence pertaining to
7 adverse modification of critical habitat; is that right?

8 A. No.

9 Q. That's not correct?

10 A. Wait a minute.

11 Q. I'm sorry. Not trying to trip you up here. So let me put
12 it to you in a different way.

13 Have you offered evidence regarding adverse
14 modification to critical habitat?

15 A. No, I have offered no such evidence and I would think in
16 terms of I have offered no evidence. I have commented on
17 published stuff and other people's evidence. And initially
18 the issue was, people didn't understand the statistic, is
19 whether that was reasonable or not.

20 Q. Okay. And, in fact, the phrase "critical habitat" is not
21 used in either of your declarations; is that --

22 A. I don't --

23 Q. -- correct, to the best of your recollection?

24 A. To the best of my recollection, I suppose, I don't know.

25 Q. Okay. And earlier you testified that you were familiar

1 with the Kimmerer 2009 study; do you remember that?

2 A. Yes, I read it at one time. I haven't looked at it
3 in -- real recently.

4 Q. Okay. Did you -- back up here. Is it your understanding,
5 based on that review, that Dr. Kimmerer applied a generalized
6 additive modeling approach?

7 A. Yes.

8 Q. And did he apply that approach to presence and absence
9 data for the delta smelt?

10 A. My recollection is he looked at actual numbers as well. I
11 don't remember everything he looked at. But I think he looked
12 at the actual numbers as well.

13 Q. Okay. So both presence and absence. And also fish count;
14 is that --

15 A. Yeah, it's a mean fish count.

16 Q. So he went to both of those data sets.

17 A. I think so.

18 Q. Using the generalized additive modeling.

19 A. Yes.

20 Q. And do you recall what he concluded about the X2 habitat
21 relationship? Based on those two analyses?

22 A. That was something that caught my attention. And my
23 recollection is that he concluded X2 -- repeat the question?

24 Q. Sure thing. What did Dr. Kimmerer conclude about the
25 X2 -- the relationship, rather, between X2 and habitat, using

1 his generalized additive modeling analysis of the presence and
2 absence and the fish counts?

3 A. That I don't remember. I was remembering what he
4 concluded about X2 in relation to abundance.

5 Q. Okay. I'm going to hand you his study. Or it may already
6 be up there. Hang on just one second.

7 This is actually in there as Plaintiffs' Exhibit 11,
8 I'm told. So let's verify that.

9 MR. GONZALEZ: It is.

10 MR. EDDY: Thank you.

11 Q. So please go to Exhibit 11 for me, if you would.

12 A. Okay. Yes.

13 Q. And does that appear to be the Kimmerer 2009 study?

14 A. Yes.

15 Q. Okay. And turn now, if you would, for me, please,
16 to -- in the journal pagination, it looks like it's -- where
17 are the page numbers in this thing? I don't see any journal
18 page numbers here. They must have been blacked out. One,
19 two, three, four, five, six, seven -- it's the seventh page
20 in. My copy doesn't have the journal page number for some
21 reason. And on the left, once we arrive on the same page, you
22 should see two images there. Two kind of columns. May I
23 approach the witness, Your Honor?

24 THE COURT: Yes, you may.

25 BY MR. EDDY:

1 Q. All right. Can you read, just to yourself is fine,
2 the -- on the right-hand column, that very first paragraph
3 that begins "bootstrap replicates." Do you see that there --

4 A. Oh, yes.

5 Q. -- doctor?

6 Okay. Can you read that just to yourself and then
7 I'm going to ask you some questions about it.

8 MR. LEE: Your Honor, I can't really tell what
9 page --

10 MR. EDDY: I'm sorry.

11 MR. LEE: If you can put it on the Elmo and perhaps
12 those of us that have copies, we can identify which page
13 you're reading from.

14 MR. EDDY: Thank you, Mr. Lee.

15 THE COURT: It's the page that says "Springer" in the
16 lower right-hand corner. If you count in seven pages, the
17 subject is "Estuaries & Coasts," C-O-A-S-T-S. Coasts. And
18 then it's the right column. It's the first paragraph in the
19 right column. It's a two-column printed page.

20 MR. EDDY: Thank you, Your Honor. And thank you, Mr.
21 Lee. It's this page with the image there on the left.

22 THE WITNESS: Okay.

23 MR. EDDY: Let me give plaintiffs' counsel a moment
24 to make sure that we've all got it.

25 MR. LEE: Okay.

1 MR. EDDY: Thanks everyone.

2 Q. And Dr. Burnham, if you could let us know when you've had
3 a chance to look that over. And once you're ready to discuss
4 it, I'll ask you a couple of questions about it.

5 A. Okay.

6 Q. Ready?

7 A. Yes.

8 Q. Okay. What did Dr. Kimmerer conclude about the
9 relationship between X2 and habitat?

10 A. I don't know what he concluded on here, other than the
11 bootstrap samples and of the minor effects on the calculated
12 values of H, I assume that's habitat area.

13 Q. Okay. Let me put it to you this way. Can you distill for
14 us laypeople what you've just read there in that paragraph?

15 A. Well, you have -- the bootstrap is taking a sub-sample of
16 the data of the same size. So it's a re-sampling thing. And
17 then he was using those to look at fitting the resource
18 selection function to each sample and saying that they were
19 getting fairly similar results to the original data except for
20 the variable peak values.

21 Q. Okay.

22 A. Now, I -- but I haven't, you know, read the whole context
23 of what's going on here.

24 Q. Okay. Fair enough.

25 A. And looked at it in a little while.

1 Q. Let's leave it there.

2 And earlier you described the purpose of the peer
3 review process for us. And I thank you for that. Your
4 declarations have offered a criticism of the Feyrer 2011
5 study; is that right?

6 A. Yes.

7 Q. But that paper was accepted for publication at a peer
8 review journal; is that right?

9 A. Yes.

10 Q. Dr. Burnham, is it common, in the scientific literature,
11 for one scientist to respond to another's work by way of a
12 written response or maybe a letter that's then published in
13 that same journal?

14 A. It's not real common, but it happens.

15 Q. It happens. Have you ever engaged in that process?

16 A. Yes. Rarely.

17 Q. And then sometimes the original author will get a chance
18 to reply?

19 A. Sometimes.

20 Q. That sort of thing. And that could be used to raise a
21 point that's in dispute?

22 A. Pardon?

23 Q. And that process could be used to raise a point that might
24 be in dispute between two scientists in the field.

25 A. Yes.

1 Q. Maybe where one scientist disagrees with the methodology
2 or an outcome.

3 A. Yes.

4 Q. Are you aware of any scientist having written to the
5 journal or submitted a response paper to that journal to take
6 issue with the Feyrer, et al. 2007 paper?

7 A. No.

8 Q. Or with the Feyrer et al. 2011 paper?

9 A. No, nothing comes to mind.

10 Q. Okay. Let's go, if you would, to your June declaration
11 again. That's Exhibit 2 in the tabs there.

12 A. Getting there. Okay.

13 Q. And if you would, please, to paragraph 35. Have you found
14 it?

15 A. Oh, yes, yes.

16 Q. Okay. Thank you. In the first line there, you state, do
17 you not, that "In my review of Feyrer (2010) I was struck by
18 his apparent unwillingness to test the effect of X2 on
19 abundance." Have I read that correctly?

20 A. Yes.

21 Q. When we were talking a minute ago about this sort of
22 scholarly debate that happens in these journals with this
23 response, rebuttal process that happens from time to time, is
24 it common that one scientist would openly question the motives
25 of another scientist?

1 A. Probably uncommon.

2 Q. Can you think of any examples in the scientific literature
3 where, when one scientist critiques another paper where the
4 author chose not to use a certain variable, that the scientist
5 accused the author of having an apparent unwillingness to test
6 that variable?

7 A. I don't recall any.

8 Q. In your scientific work, do you always use the adversarial
9 tone that we see in the declarations that you filed in this
10 case?

11 A. No.

12 MR. EDDY: That's all I have. Thank you, Dr.
13 Burnham.

14 THE COURT: Is there a reason you used it, if you
15 did, in this case?

16 THE WITNESS: Huh? Pardon?

17 THE COURT: The question assumes something that
18 hasn't been established. Did you use an adversarial tone in
19 your declaration?

20 THE WITNESS: I didn't particularly mean it to be
21 that way.

22 THE COURT: All right. It assumed a fact not in
23 evidence.

24 And so the next question is: If you did -- you
25 didn't intend it, but if it could be interpreted that way, was

1 there a reason for it?

2 THE WITNESS: No. Just to emphasize that this type
3 of analysis that I would look for was not, in fact, found in
4 his work.

5 THE COURT: Thank you.

6 MR. EDDY: May I ask one quick followup question,
7 Your Honor?

8 THE COURT: Yes, you may.

9 BY MR. EDDY:

10 Q. When you opined that Mr. Feyrer was unwilling to test the
11 effect of fall X2 on abundance, had you asked him about his
12 willingness to do that?

13 A. No.

14 MR. EDDY: Thank you.

15 THE COURT: Cross-examination. Mr. Torgun.

16 MR. TORGUN: Thank you, Your Honor.

17 CROSS-EXAMINATION

18 BY MR. TORGUN:

19 Q. Good afternoon, Dr. Burnham. My name is George Torgun,
20 I'm counsel for the defendant intervenors in this case,
21 National Resource Defense Council and The Bay Institute.

22 It's been stated earlier that you were asked, as part
23 of this litigation, to determine whether enjoining X2 would
24 harm the delta smelt; is that correct?

25 A. Yes.

1 Q. And this is the case even though, as you stated earlier,
2 this whole thing about delta smelt biology is not your area;
3 is that correct?

4 A. That is correct.

5 Q. And have you published any peer reviewed articles which
6 show the relationship between enjoining X2 and harm on the
7 delta smelt?

8 A. No, I have not.

9 Q. As you stated earlier, you've offered no evidence of your
10 own on this topic; is that correct?

11 A. That is correct.

12 Q. When were you asked by the plaintiffs in this case to
13 conduct a review of the relationship between X2 and harm to
14 the delta smelt?

15 A. That was this year in the spring, that was perhaps four
16 months ago, or something like that.

17 Q. So it's safe to say that your criticism of Mr. Feyrer's
18 articles were not presented to the Fish & Wildlife Service
19 prior to December of 2008 or to this Court prior to December
20 of 2010; correct?

21 A. Yes.

22 Q. And the articles that you've discussed in your
23 declarations by Mr. Feyrer, they have been published in peer
24 reviewed scientific journals; correct?

25 A. I think one of them was. One of them -- I think -- I lose

1 track of time. You said it was 2010, yes, because we're in
2 2011. So yes, I think so.

3 Q. Can you turn to your June declaration, which is
4 Plaintiffs' Exhibit 2 in the binder. June 16th.

5 A. It is -- pardon me? Which one?

6 Q. Exhibit 2 in the binder. Your declaration.

7 A. Yes, that's the one I'm on. Yes.

8 Q. Okay. Good. On paragraph 6 of that declaration, you
9 state that there is, quote, "No support for the hypothesis
10 that manipulation of the location of X2 will provide any
11 benefit to the delta smelt."

12 Did I read that correctly?

13 A. Yes.

14 Q. And I think you've testified earlier that you haven't
15 given an opinion on whether the manipulation of X2 would
16 affect delta smelt's critical habitat; is that right?

17 A. Need to clarify. I have not expressed an opinion in some
18 sense on my own. What I've expressed is looking at the work
19 of other people and whether they found evidence for or against
20 the issue. And I was looking to see if, from the standpoint
21 of the client, whether I felt their work was credible. And so
22 then I expressed -- I really reflect what other people have
23 published.

24 Q. Well, do you -- have you determined, in your declarations,
25 that the fall X2 action will provide no benefit for the

1 recovery of the delta smelt?

2 A. As far as I can see from the evidential data and work,
3 there is no evidence in my mind that it will benefit.

4 Q. And if that benefit that you're talking about in this
5 paragraph includes benefit to the delta smelt from increasing
6 habitat and also allowing for the recovery of the species?

7 A. I need to be more careful. I am not focusing on habitat
8 per se in that I'm focusing on numbers. Would some action we
9 take causally -- is there evidence it would causally lead to
10 an increase in numbers?

11 Q. Okay. You stated earlier that you have reviewed at least
12 portions of the biological opinion in this case.

13 A. Yes.

14 Q. From your review, do you know what the objective, the
15 stated objective of the fall X2 action is?

16 A. The first objective, in terms of managing where X2 is
17 located. But then the reason for that is that there -- the
18 belief that this might lead to a higher numbers of delta smelt
19 in subsequent years.

20 Q. Can you find the biological opinion, which I believe is
21 Plaintiffs' Exhibit 1, in that binder. And then can you turn
22 to page -- the BiOp page 369.

23 A. 3 --

24 Q. That's the number at the bottom, not at the top.

25 A. Okay. I am almost there. Which page number?

1 Q. 369 at the bottom. And at the top, it's page 000384. And
2 that's the administrative record page.

3 A. Ah. Yes.

4 Q. You see the caption at the top there is entitled "Action 4
5 Estuarine Habitat During Fall."

6 A. Oh, yes.

7 Q. And can you read what that first sentence after the word
8 "Objective" states?

9 A. "Improve fall habitat for delta smelt by managing X2
10 through increasing Delta outflow during fall when the
11 preceding water year was wetter than normal."

12 Q. Thank you. And do you know if the fall X2 action is also
13 intended to provide for the recovery of the delta smelt?

14 A. I'm a little unclear on the question.

15 Q. Are you familiar with the term "recovery"?

16 A. Yes, oh, yes.

17 Q. So my question is whether the biological opinion intended
18 this action, the fall X2 action, to provide for the recovery
19 of the delta smelt?

20 A. Oh, yeah, I believe that it intended it, yes.

21 Q. Okay. Thank you. I think in your testimony here today,
22 you've stated that you've considered a number of different
23 articles, including certain pages from the biological opinion,
24 Mr. Feyrer's articles, the Deriso Maunder article, Thomson
25 MacNally 2009 articles. Is that correct?

1 A. Yes.

2 Q. Who instructed you to consider those sources of
3 information?

4 A. Pardon me?

5 Q. Who instructed you to consider those sources of
6 information?

7 A. Morrison and Foerster on behalf of Metropolitan.

8 Q. And you had stated earlier you hadn't considered studies
9 about -- regarding salinity and the impact of salinity on
10 delta smelt?

11 A. What was the question?

12 Q. You stated earlier you did not separately consider studies
13 regarding salinity impacts on delta smelt.

14 A. Yeah, it was the "did not" part I didn't quite hear.

15 Yeah, I didn't. I have not looked at those sorts of studies.

16 Q. And have you looked at the Sommer 2011 study that was
17 attached to the declaration of Mr. Feyrer in this case that
18 found a strong association between delta smelt distribution
19 and X2 during fall?

20 A. I don't remember that one.

21 Q. In your opinion, did the biological opinion rely
22 exclusively on Mr. Feyrer's work to justify the fall X2
23 action?

24 A. It seemed to. That was my impression.

25 Q. So do you know if the biological opinion relied on any

1 other published studies to support the fall X2 action?

2 A. At this point, I do not remember. Since that was one of
3 the earlier things I read, and I haven't really gone back to
4 it lately.

5 Q. Do you remember if the -- if the biological opinion relied
6 on the work published by Dr. Bennett in 2005 and Nobriga 2008
7 that linked habitat alteration to the decline of the delta
8 smelt?

9 A. I honestly don't remember that.

10 Q. Can you turn to the biological opinion again, which is
11 Plaintiffs' 1, and hopefully you're already there, on page
12 374.

13 A. I am there.

14 Q. And this is the same section we were referring to,
15 correct, about -- regarding Action 4?

16 A. Yes.

17 Q. Starting on 369. And do you see on page 374, the final
18 paragraph on that page.

19 "However, the following is further complications
20 because there are several lines of published peer
21 reviewed scientific research that link habitat
22 alteration to the decline of delta smelt (Bennett
23 2005, Feyrer et al. 2007, Nobriga et al. 2008)."

24 A. Yes.

25 Q. Did I read that correctly?

1 A. Yes.

2 Q. Now, can you turn back to your declaration, which is
3 Plaintiffs' Exhibit 2.

4 A. Uh-huh.

5 Q. Let's move ahead to paragraph 20.

6 A. Okay.

7 Q. In the second sentence of that paragraph, you state that
8 "Mr. Feyrer suggested manipulating the location of X2 as a
9 method of managing salinity levels in the Delta."

10 Is that correct?

11 A. That's what it says, yes.

12 Q. Were you suggesting in that sentence that Mr. Feyrer
13 himself came up with the idea of using X2 as a method for
14 controlling salinity in the Delta?

15 A. I don't think I -- I would not interpret it that way.

16 Q. Okay. Is the use of X2, as a management tool, based on a
17 number of pre-existing peer reviewed and published scientific
18 articles that show a relationship between the abundance or
19 survival of Delta species and flow entering the estuary as
20 indexed by X2?

21 A. Pardon me?

22 Q. Restate -- I'll restate the question?

23 A. Yes.

24 Q. Is the use of X2 as a management tool, based on a number
25 of existing peer reviewed and published scientific articles,

1 that show a relationship between the abundance or survival of
2 Delta species and flow entering the estuary as indexed by X2?

3 MR. GONZALEZ: Your Honor, I'm going to object that
4 this assumes facts not in evidence. Unless it's posed as a
5 hypothetical. I'm not sure what article he's referring to.
6 It's also vague.

7 THE COURT: All right. There are two different lines
8 of objection. As to the lack of foundation, the objection is
9 sustained. As to the, if you will, assuming of the premises
10 that are in the articles to form the basis for the witness'
11 opinion, he's entitled to ask him whether he agrees or
12 disagrees with other expert opinions without violating any
13 rule of evidence.

14 And so let's break the question down, if you would,
15 please, Mr. Torgun, the foundation first and then you can ask
16 him his opinion.

17 MR. TORGUN: If I can approach the witness, Your
18 Honor.

19 THE COURT: You may.

20 MR. TORGUN: This is an exhibit that's previously
21 been marked as Defendants' 586, and it's the Feyrer 2007
22 article. And I believe it's already been distributed. It was
23 up there during the previous witness' testimony.

24 Q. Is this an article you've considered in your declarations
25 that you've filed in this case, Dr. Burnham?

1 A. It looks familiar enough to me that I do believe I have
2 seen it before. It's probably one that I read, yes.

3 Q. If you'll turn to the second page of that article, which
4 the journal page is 724.

5 A. Yes, uh-huh. Yep.

6 Q. And in the column on the right, and the last full
7 paragraph, which starts "similar to most estuaries."

8 A. Yes.

9 Q. The second sentence there states, "In particular, the
10 abundance or survival of many fishes and
11 invertebrates exhibits a strong relationship with
12 flow entering the estuary, as indexed by the position
13 of the two percent isohaline (Jassby et al. 1995;
14 Kimmerer 2002). This index, termed X2, is defined as
15 the distance (km) from the Golden Gate Bridge to the
16 location in the estuary where the mean bottom
17 salinity hits two percent."

18 Did I read that correctly?

19 A. Yes.

20 Q. I'd like to move along in your declaration, which is
21 Exhibit 2 again, to paragraph 35.

22 A. Okay.

23 Q. And this discusses the Kimmerer 2009 article, which you've
24 been testifying about here today. In that paragraph, you note
25 that Dr. Kimmerer performed a very similar analysis of habitat

1 logging of the delta smelt as Mr. Feyrer did; is that correct?

2 A. Um, that's paragraph 35?

3 Q. Yes. And that's on the second page of that paragraph.

4 A. Oh, right.

5 Q. 17 of 21 at the top.

6 A. Okay. What sentence?

7 Q. It starts with, "In another recent paper." On the second
8 line of page 17 of 21.

9 A. Oh, there. I found it.

10 Q. And that in that sentence, you simply note that "Dr.

11 Kimmerer has performed a very similar analysis of the
12 habitat volume of the Delta species, including the
13 delta smelt, similar to Mr. Feyrer's analysis."

14 A. Yes.

15 Q. Now, is it your contention that Dr. Kimmerer -- and you
16 state he -- you state later on in that -- sorry. Strike that.

17 You state later on that "Dr. Kimmerer directly
18 considered the relationship between X2 and abundance
19 and his results were clear."

20 And you quote the article, I believe, here, which was
21 up on the screen earlier. And the quote is that "Abundance of
22 delta smelt did not vary with X2."

23 A. Yes.

24 Q. Dr. Kimmerer, in his 2009 paper, was he considering fall
25 X2?

1 A. I -- I don't -- I don't know. I don't remember. I know
2 he considered also spring X2. I think he was considering more
3 than fall X2, but I don't know what all the results were right
4 now with respect to either of those things.

5 Q. And do you recall if Mr. Feyrer was considering fall X2 in
6 his study?

7 A. Yes, he was considering that.

8 Q. Can you turn to Plaintiffs' Exhibit 11, which I believe is
9 the Kimmerer 2009 article.

10 A. Okay.

11 Q. And the third page of that article is the journal page
12 377.

13 A. Oh. What? This is Exhibit 11?

14 Q. Should be Plaintiffs' Exhibit 11. It's the Kimmerer 2009
15 article.

16 A. Yes. Well, I get to a third page, but again, this doesn't
17 have page numbers.

18 Q. At the top, there should be a very -- I don't know if it
19 shows up in your copy, in my copy it's 377 --

20 A. Oh, mine does not have it.

21 Q. And there's a -- it's the third page. And there's an
22 italicized kind of heading that says "Abundance-X2
23 Relationships."

24 A. Yes.

25 Q. Okay. A few sentences down, there is a sentence that

1 starts with the words "The log of."

2 A. Oh, okay, "The log of the" --

3 Q. Correct. That sentence states, "The log of the annual
4 abundance index was related to X2 averaged over
5 several spring months when each species is likely to
6 be most vulnerable to fresh water flow effects."

7 Did I read that correctly?

8 A. Yes.

9 Q. And based on that, does that sentence tell you whether Dr.
10 Kimmerer considered spring X2 or fall X2?

11 A. Well, spring -- well, he at least considered spring X2.

12 Q. With regard to his findings about the abundance and X2
13 relationships?

14 A. In there he was talking about it, yes.

15 Q. Now, with regard to the delta smelt, do you know any
16 reason why the movement of spring X2 might have a different
17 impact on the species compared to the movement of fall X2?

18 A. No, no, I don't know any of that sort of thing.

19 Q. Well, would you agree, Dr. Burnham, that for species like
20 the delta smelt, which is an annual species, which everyone
21 here concedes is at record low numbers, that it's important to
22 protect all life stages of that species?

23 A. All life stages have to be considered, sure.

24 Q. Can you find now your reply declaration, which is
25 Plaintiffs' Exhibit 4. And turn to paragraph 13.

1 A. Paragraph 13. All right. Yes.

2 Q. To start off that paragraph, you're talking about Mr.
3 Feyrer's claim about abiotic habitat -- and you state that his
4 claim that abiotic habitat is somehow necessarily more
5 important than biotic factors to the survival of the species.
6 Skipping down to your conclusion about that. You state that
7 there is simply no scientific support for this position.

8 A. As far as I know. I have not -- yes, as far as I know.

9 Q. And you state, Dr. Burnham, you considered the Thomson
10 2010 paper; correct?

11 A. Pardon?

12 Q. In your declaration, you -- I believe you stated that you
13 considered the Thomson 2010 paper.

14 A. Yes. Was that on Bayesian change point analysis?

15 Q. That's the one.

16 A. Yes.

17 Q. If you flip to Plaintiffs' Exhibit 10, you can find the
18 Thomson paper.

19 A. Okay.

20 Q. If you turn to journal page 1445 or the page at the top,
21 page 16 of 19. If you can find that page.

22 A. Well, page -- journal page 1445?

23 Q. Right.

24 A. Yes.

25 Q. And the first sentence on that page states, "The variable

1 selection results suggest that at the estuary scale,
2 abiotic factors (water clarity, X2, exports) may have
3 more influence on interannual variation and
4 abundances of the four species than do biotic
5 variables."

6 Did I read that correctly?

7 A. Yes.

8 Q. And was the delta smelt one of the four species considered
9 in this paper?

10 A. I believe so.

11 MR. TORGUN: Okay. Thank you, Dr. Burnham. That's
12 all I have.

13 THE COURT: Redirect.

14 MR. GONZALEZ: We have nothing more, Your Honor.

15 THE COURT: Mr. Eddy?

16 MR. EDDY: No, Your Honor. Thank you.

17 THE COURT: May this witness be excused?

18 MR. GONZALEZ: Yes, Your Honor.

19 THE COURT: Thank you, Dr. Burnham. You may step
20 down. You are excused.

21 Do you have another witness?

22 MR. WILKINSON: Your Honor, we can start Dr. Hanson.

23 THE COURT: Yes, let's start him. We have ten
24 minutes.

25 MR. WILKINSON: Okay. Call Dr. Charles Hanson to the

1 stand.

2 **CHARLES HOWARD HANSON,**
3 called as a witness on behalf of the SWC Plaintiffs, having
4 been first duly sworn, testified as follows:

5 THE CLERK: Please have a seat. And when you do,
6 state your full name for the record and spell your last.

7 THE WITNESS: My name is Charles Howard Hanson,
8 H-A-N-S-O-N.

9 THE COURT: You may proceed.

10 DIRECT EXAMINATION

11 BY MR. WILKINSON:

12 Q. Good afternoon, Dr. Hanson, I'd like to begin by asking.
13 Have you submitted declarations in this proceeding?

14 A. Yes, I have.

15 Q. And have you testified previously as an expert in
16 fisheries biology in litigating -- in litigation relating to
17 the delta smelt?

18 A. Yes, I've been qualified as a fisheries expert, both in
19 the delta smelt proceedings as well as salmonids proceedings.

20 Q. Would you briefly describe your educational background,
21 please.

22 A. I have a bachelors and masters in fisheries biology from
23 the University of Washington. I studied environmental
24 engineering at Johns Hopkins and I have a Ph.D. in fisheries
25 and ecology from the University of California at Davis.

1 Q. Would you also please give the Court just a brief
2 description of your involvement historically with delta smelt
3 issues?

4 A. I've been working on Delta issues since the mid 1970s
5 involving a variety of native and non-native fishery studies.
6 Evaluation of the environmental effects of operation of
7 cooling water systems on various fish populations. Reservoir
8 operations. Instream flows, habitat, entrainment issues at a
9 variety of locations.

10 I've been a member of the Delta fish -- the Native
11 Delta Fish Recovery Team for the US Fish & Wildlife Service
12 dealing with delta smelt, as well as a member of the National
13 Marine Fisheries Service Native Delta -- or the Salmonid
14 Recovery Team for Central Valley Salmonids.

15 Q. Dr. Hanson, was a statement of your qualifications
16 previously provided in this litigation as an attachment to
17 document 344?

18 A. Yes, it was.

19 Q. Now, are you familiar with the life history of the delta
20 smelt and the factors that affect their geographic
21 distribution, their survival, their reproduction and their
22 food availability within the Bay Delta Estuary?

23 A. Yes, I am.

24 Q. Are you familiar with the surveys that have been conducted
25 by the California Department of Fish & Game regarding the

1 delta smelt?

2 A. I am familiar with the Fish & Game surveys.

3 Q. Are you also familiar, Dr. Hanson, with the fall X2
4 measure that the Fish & Wildlife Service has adopted as
5 Component 3 of the reasonable and prudent alternative of the
6 2008 OCAP biological opinion?

7 A. Yes, I am familiar with the fall X2 action.

8 Q. Would you explain your understanding of how the fall X2
9 measure is intended to work.

10 A. My understanding is that in those years that were
11 characterized as either wet or above normal, based on
12 precipitation during the winter and spring, that the
13 biological opinion prescribes that the location of the two
14 part per thousand isohaline, referred to as X2, be maintained
15 at specific locations within the Suisun Bay area. In wet
16 years, the maintenance of the X2 line during September and
17 October is at kilometer 74 or further to the west. And during
18 above normal water years, it's maintained at kilometer -- I
19 believe it's 82 in September and October.

20 Q. And now, is it your understanding that 2011 is a wet water
21 year?

22 A. 2011 is a wet water year.

23 Q. And is it also your understanding, Dr. Hanson, that the
24 Fish & Wildlife Service intends to apply fall X2 to the
25 operations of the State Water Project and the Central Valley

1 Project beginning in September of this year?

2 A. That is my understanding.

3 Q. Were you asked to provide declaration testimony in
4 connection with the pending motion for preliminary injunction?

5 A. Yes, I was.

6 Q. Would you describe for the Court what you were asked to
7 do?

8 A. What I was asked to do was to review the biological
9 opinion, focusing on the fall X2 action. Review the
10 supporting documents, the articles by Mr. Feyrer, et al. in
11 2007 as well as 2010. Review the declarations of other
12 parties in the proceedings.

13 And to use information that's available from the
14 Department of Fish & Game fishery surveys to examine the
15 assumed relationships where the hypothesized mechanisms
16 through which maintenance of fall X2 might affect various life
17 history attributes of delta smelt.

18 Specifically focusing on how fall X2 might affect the
19 geographic distribution of delta smelt in the fall, the
20 survival of pre-spawning delta smelt in the fall, the
21 reproduction of delta smelt the following spring and food
22 availability for delta smelt.

23 Q. Was it your understanding that each of the factors you've
24 described, geographic distribution, survival, reproduction and
25 food availability, bear upon the abundance of delta smelt?

1 A. Those are factors that affect the vital rates of delta
2 smelt. They affect the population dynamics of the smelt and
3 ultimately would be expected to affect the abundance of delta
4 smelt.

5 Q. Were the results of the analyses that you undertook, Dr.
6 Hanson, set forth in declarations that were presented in the
7 Court?

8 A. Yes, they were.

9 Q. I'd like to show you -- or actually, I think you have it
10 in your binder, Dr. Hanson, a copy of your initial declaration
11 marked as State Water Contractors -- are we using plaintiffs
12 or do you want it divided by individual plaintiff, Your Honor?

13 THE COURT: Well, you have a separate exhibit binder.
14 And this simply provides direct examination exhibits for Dr.
15 Hanson. You have numbered these in the 100 series. And as
16 long as no other plaintiff has designated in the 100 series,
17 we can simply refer to them as Plaintiffs' 100 through 117.

18 MR. WILKINSON: All right. Thank you, Your Honor.

19 Q. Dr. Hanson, you have Plaintiffs' Exhibit 100 as part of
20 your binder; do you not?

21 A. Yes, I do.

22 Q. Do you recognize the document?

23 A. Yes.

24 Q. Is that a copy of your initial declaration?

25 A. That is a copy of the declaration that I filed in June of

1 2011.

2 Q. Did you prepare that declaration yourself?

3 A. Yes, I did.

4 Q. Are there any corrections that you would like to make to
5 that declaration, Dr. Hanson?

6 A. There are. There are three corrections that I'd like to
7 enter. The first appears on page 29, paragraph 34. Line 19.
8 The word "biotic" --

9 THE COURT: Hang on a second, if you don't mind. Let
10 me get there. All right. Page 29?

11 THE WITNESS: Page 29, paragraph 34.

12 THE COURT: It's easier to find it by paragraph.

13 MR. WILKINSON: Line 19, Your Honor.

14 THE COURT: Yes. I can only turn the pages as fast
15 as they will permit. Manual dexterity notwithstanding.

16 All right. Page 29, paragraph 34 at line 12. So
17 we're at line 19. And "those biotic elements"?

18 THE WITNESS: Yes. That should read "those abiotic
19 elements."

20 THE COURT: All right.

21 THE WITNESS: The second correction occurs with
22 respect to the regression equation presented on Exhibit 2f.

23 THE COURT: Wait a second. You mean -- is it Exhibit
24 2f to this declaration?

25 THE WITNESS: Yes, it is, Your Honor.

1 MR. WILKINSON: It would be on page 43, Your Honor,
2 near the end of the document.

3 THE COURT: Bear with me.

4 MR. WILKINSON: I'm using the page numbers --

5 THE COURT: I'm on page 43. All right. And it's a
6 Slopes (linear) from delta smelt abundance point estimates.

7 THE WITNESS: Correct.

8 THE COURT: All right. I'm there.

9 THE WITNESS: The regression equation should be
10 corrected to read y equals minus 12.002x plus 686.74 with an
11 R-squared equal 0.0607. The graph itself is correct, but the
12 regression equation was incorrect.

13 And I have a third correction, which is virtually
14 identical. That appears on Exhibit 2-I.

15 THE COURT: And that's page?

16 MR. WILKINSON: That would be page 46, Your Honor.

17 THE COURT: All right. And there?

18 THE WITNESS: And the regression equation for this
19 graph should read y equals minus 0.0915x plus --

20 THE COURT: 0.0915x?

21 THE WITNESS: X. Plus.

22 THE COURT: Plus.

23 THE WITNESS: 149.42 with an R-squared equals 0.1191.

24 THE COURT: All right.

25 MR. WILKINSON:

1 Q. Again, Dr. Hanson, does the graph remain the same?

2 A. The graph remains the same, simply the regression equation
3 needed to be updated.

4 MR. WILKINSON: Your Honor, with those corrections,
5 we will offer Exhibit 100 into evidence.

6 THE COURT: Any objection?

7 MR. EDDY: No objection, Your Honor.

8 THE COURT: Exhibit 100 is received in evidence.

9 (SWC Plaintiffs' Exhibit 100 was received.)

10 THE COURT: All right. We have reached the end of
11 our court day. Are we on schedule?

12 MR. WILKINSON: I think we're pretty close, Your
13 Honor. Yes.

14 THE COURT: All right. Is there anything further
15 before we recess?

16 We are in recess until 8:30 a.m. Have a good
17 evening.

18 (The proceedings were concluded at 5:05 p.m.)

19

20 I, KAREN HOOVEN, Official Reporter, do hereby certify
21 that the foregoing transcript as true and correct.

22

23 DATED: 09/02/2011

/s/ Karen Hooven
KAREN HOOVEN, RMR-CRR

24

25